

5

~~CONFIDENTIAL~~

08-22-03 Dave Nilson

0001
01
02
03
04
05
06
07
08
09
10
11
12
13
14
15
16
17
18
19
20
21
22
23
0002
01
02
03
04
05
06
07
08
09
10
11
12
13
14
15
16
17
18
19
20
21
22
23
0003
01
02
03
04
05
06
07
08
09
10
11
12
13

BEFORE THE FLORIDA PUBLIC SERVICE COMMISSION
DOCKET NO.: 0303049-TP
FILED AUGUST 19, 2003

IN RE: COMPLAINT BY
SUPRA TELECOMMUNICATIONS
AND INFORMATION SYSTEMS,
INC., REGARDING BELLSOUTH'S
ALLEGED USE OF CARRIER
TO CARRIER INFORMATION

DEPOSITION TESTIMONY OF:
DAVID A. NILSON
August 22, 2003
1:35 p.m.

STIPULATION

IT IS STIPULATED AND AGREED by and
between the parties through their respective
counsel that the deposition of DAVID A. NILSON
may be taken before SHERI G. CONNELLY,
Commissioner, at The Tutwiler, A Wyndham Grand
Heritage Hotel, 2021 Park Place North,

Birmingham, Alabama 35203, on the 22nd day of
August, 2003.

IT IS FURTHER STIPULATED AND AGREED
that the signature to and the reading of the
deposition by the witness is waived, the
deposition to have the same force and effect
as if full compliance had been had with all
laws and rules of proceedings relating to the
taking of depositions.

IT IS FURTHER STIPULATED AND AGREED
that it shall not be necessary for any
objections to be made by counsel to any
questions, except as to form or leading
questions, and that counsel for the parties
may make objections and assign grounds at the
time of the hearing, or at the time said
deposition is offered in evidence, or prior
thereto.

IT IS FURTHER STIPULATED AND AGREED
that the notice of filing of the deposition by
the Commissioner is waived.

INDEX

EXAMINATION BY: MR. MEZA PAGE NUMBER: 6

EXHIBITS: No Exhibits submitted. PAGE NUMBER:

APPEARANCES

FOR THE PETITIONER, SUPRA TELECOMMUNICATIONS
Page 1

See DN

09891-03

ORIGINAL

RECEIVED-FPSC
03 OCT -1 PM 2:56
COMMISSION
CLERK

AUS _____
CAF _____
CMP _____
COM _____
CTR _____
ECR _____
GCL _____
OPC _____
MMS _____
SEC 1
OTH arg to
exhibit pouch

FLORIDA PUBLIC SERVICE COMMISSION
DOCKET NO. 0303049-TP EXHIBIT NO. 5
COMPANY/ SUPRA
WITNESS: _____
DATE: 08-29-03

DOCUMENT NUMBER-DATE
09481 OCT-18

COMMISSION CLERK

08-22-03 Dave Nilson

14 AND INFORMATION SYSTEMS, INC.:
15 Jorge L. Cruz-Bustillo
16 Assistant General Counsel
17 Supra Telecom
18 2620 Southwest 28th Avenue
19 Miami, Florida 33133-3005
20 305.476.4252
21
22
23

00004

01 FOR THE RESPONDENT, BELLSOUTH
02 TELECOMMUNICATIONS, INC.:
03 James Meza, III
04 BellSouth Telecommunications, Inc.
05 Museum Tower Building
06 Suite 1910
07 150 West Flagler Street
08 Miami, Florida 33130
09 305.347.5561
10
11 Matthew T. Brown
12 Jones, Walker, Waechter,
13 Poitevent, Carrere & Denegre, LLP
14 201 Saint Charles Avenue
15 New Orleans, Louisiana 70170
16 504.582.8000
17

18 FLORIDA PUBLIC SERVICE COMMISSION
19 (Via telephone):
20 Linda H. Dodson
21 Levent Ileri
22 Jerry Hallenstein
23 Robert Casey

00005

01 Jacqueline Gilchrist
02 Florida Public Service Commission
03 2540 Shumard Oak Boulevard
04 Tallahassee, Florida 32399-0850
05 850.413.6100
06

07 ALSO PRESENT:
08 Edward Wolfe
09

10 *****

11
12 I, SHERI G. CONNELLY, a Court
13 Reporter of Birmingham, Alabama, acting as
14 Commissioner, certify that on this date, as
15 provided by the applicable rules of procedure
16 and the foregoing stipulation of counsel,
17 there came before me at The Tutwiler, A
18 Wyndham Grand Heritage Hotel, 2021 Park Place
19 North, Birmingham, Alabama 35203, beginning at
20 1:35 p.m., DAVID A. NILSON, witness in the
21 above cause, for oral examination, whereupon
22 the following proceedings were had:
23

00006

01 DAVID A. NILSON,
02 being first duly sworn, was
03 examined and testified as follows:

04
05 MR. MEZA: Usual stipulations,
06 Counselor?

07 MR. CRUZ-BUSTILLO: Yes, sir.

08
09 EXAMINATION BY MR. BROWN:

10 Q. Good afternoon, Mr. Nilson. What
11 did you do to prepare for this depo today?

12 A. I traveled to Birmingham and I
13 reread my testimony.

14 Q. Okay. Did you meet with counsel?

15 A. Not really.

16 Q. Did you go over any documents other
17 than your testimony?

18 A. No.

19 Q. What is your current position with
20 Supra?

21 A. My title is vice president of
22 technology.

23 MR. CRUZ-BUSTILLO: Jim, let me just

0007
01 check. Staff, can you guys hear Dave,
02 Mr. Nilson?

03 MS. DODSON: Yes.

04 Q. (By Mr. Meza) I'm not going to spend
05 time on what I consider to be insignificant
06 issues but sometimes your title changes a lot
07 periodically. In your testimony, you refer to
08 yourself as the chief technology officer. Is
09 that a component of vice president?

10 A. Yes.

11 Q. Can you explain that?

12 A. No.

13 Q. It's the same -- I mean, the job
14 duties are the same --

15 A. Yes.

16 Q. -- between chief technology officer
17 and vice president of technology?

18 A. Yes, they are.

19 Q. Now, does Supra engage in customer
20 winback efforts?

21 A. Yes.

22 Q. How?

23 A. Periodically we contact customer --

0008
01 former customers who we are no longer billing
02 and send offers to them.

03 Q. Okay. What means -- what means do
04 you use to contact these customers?

05 A. Until very recently it was direct
06 mail. In the last few months we've done some
07 outbound telemarketing.

08 Q. And is it limited to former Supra
09 customers?

10 A. It's actually very limited, but yes,
11 it's limited to former customers.

12 Q. Does Supra engage in any retention
13 marketing programs?

14 A. No, we don't normally know that a
15 customer is intending to leave until after
16 they're gone.

17 Q. Does Supra send switch
18 acknowledgment letters when a Supra customer

19 leaves it to go to another carrier?

20 A. No.

21 Q. Am I correct in saying that the two
22 mediums that Supra uses to conduct its
23 customer reacquisition efforts are direct

0009

01 mailings and very recently outbound
02 telemarketing; is that correct?

03 A. Both of those are extremely limited.
04 I would say it would be more accurate that our
05 customer reacquisition campaigns are more from
06 television and newspaper advertising, at least
07 that's the way we view it.

08 Q. Okay. Well, I appreciate your
09 answer but are there any other mediums that
10 you use in those limited instances --

11 A. No.

12 Q. -- when you engage in customer
13 winback efforts?

14 A. Not that I'm aware of.

15 Q. Do you use in-house individuals or
16 third parties to issue the direct mailings or
17 to make the outbound telemarketing calls?

18 A. It's done in-house.

19 Q. And in-house meaning part of
20 Supra --

21 A. Yes.

22 Q. -- or an affiliate of Supra?

23 A. Oh, okay. It's call center

0010

01 personnel and to the extent that those call
02 centers are one of Supra's offshore
03 affiliates.

04 Q. Does Supra have any call center
05 personnel in the United States today?

06 A. I believe there's still a few, yes.

07 Q. What underlying data does Supra use
08 to identify potential customer winback
09 targets?

10 A. From our billing system, a customer
11 that's recently left.

12 Q. Okay. Does Supra use -- well, let
13 me ask you this foundation question. Are you
14 familiar with the PMAP line loss report?

15 A. I am.

16 Q. And does Supra get that report daily
17 from BellSouth?

18 A. PMAP is not something you get. You
19 have to actually go out on the Internet and
20 retrieve it.

21 Q. That's a fair response. Does
22 BellSouth provide updated information daily as
23 to the information contained in the PMAP line

0011

01 loss report?

02 A. PMAP changes daily. Whether --
03 whether the updates come the following day or
04 not has been a subject of concern in the past.

05 Q. But you would agree with me that
06 it's either daily or two days later that you
07 receive notification of the information in
08 PMAP, line loss report; is that correct?

09 A. Probably, yes.

10 Q. Okay. What information is contained
11 in the PMAP line loss report?

12 A. I can't answer that question.

13 Q. Have you ever seen it?

14 A. I have. I haven't looked at it in
15 probably a year and a half.

16 Q. Would you agree with me in concept
17 that it provides Supra with the list of
18 customers that have disconnected service from
19 Supra?

20 A. Yes.

21 Q. Does Supra use the PMAP line loss
22 report to identify potential winback targets?

23 A. No.

0012

01 Q. Why not?

02 A. We don't do that much winback to
03 start with to be honest with you.

04 Q. Does Supra use marketing efforts to
05 obtain new customers, new Supra customers?

06 A. I'm not sure I understand your
07 question.

08 Q. Okay. Does Supra -- do you
09 understand the concept of cold call?

10 A. Yes.

11 Q. What's a cold call?

12 A. Someone's phone rings and you get a
13 sales pitch from someone who you have no
14 relationship with.

15 Q. It's a unsolicited --

16 A. Yes.

17 Q. -- pitch?

18 A. Yes.

19 Q. Okay. Does Supra engage in cold
20 calls via its telemarketing site?

21 A. No, we stopped that practice in the
22 fall of 1997.

23 Q. Supra has not engaged in initiating

0013

01 telemarketing --

02 A. No, sir.

03 Q. -- calls to customers?

04 A. No, sir.

05 MR. CRUZ-BUSTILLO: Jim, I don't
06 want to interrupt this line of questioning.
07 Since he's technology, I will tell you I
08 probably have as much knowledge of marketing
09 as him.

10 MR. MEZA: Yeah.

11 MR. CRUZ-BUSTILLO: And I know that
12 virtually 99 percent, leave an exception
13 subject to check, is all newspaper and mainly
14 television.

15 MR. MEZA: Okay.

16 MR. CRUZ-BUSTILLO: And that's how
17 we've been getting customers.

18 MR. MEZA: All right.

19 MR. CRUZ-BUSTILLO: It's no -- you
20 know, all our call center people are handling
21 just people calling in saying, what's wrong,
22 going on here, just handling customer service.

23 MR. MEZA: I appreciate the

0014

01 clarification, and I don't think you were
02 trying to influence the witness' testimony.

03 MR. CRUZ-BUSTILLO: No, I wasn't,
04 and that's why I let you go --

05 MR. MEZA: But let me tell you why
06 I'm confused because I recently received a
07 cold call from Supra to switch to Supra and
08 that seems to be inconsistent with your
09 testimony.

10 MR. CRUZ-BUSTILLO: Well, let me say
11 that subject to check, remember, he's not the
12 marketing guy.

13 MR. MEZA: I understand.

14 MR. CRUZ-BUSTILLO: We have a
15 director of marketing. That may be going on.
16 That may have started now. That may have been
17 done, but I know that it's virtually all
18 but -- go ahead -- go ask your question.

19 A. You've asked me that question before
20 and I reiterate what I've said before, that
21 it's been against our policy to do that. We
22 had an issue that related in some slamming
23 complaints in '97. We stopped the practice,

00015

01 and I'm unaware that we've started anything.

02 Q. Okay. So if I asked you a series of
03 questions of how Supra identifies potential
04 new customers that they wish to engage in
05 telemarketing activities in, you would not
06 have an answer to that?

07 A. I would tell you we weren't in the
08 process of doing telemarketing -- outbound
09 telemarketing to regain -- or to gain new
10 customers.

11 Q. Okay. Who would know at Supra
12 whether your policy regarding not engaging in
13 outbound telemarketing is still current?

14 A. Probably Russ Lambert.

15 Q. Now, what is your understanding of
16 CPNI?

17 A. That stands for customer proprietary
18 network information, and it has to do with the
19 types of service a customer orders, the
20 phone -- the specific phone calls they make,
21 and the nature of what features and options
22 they have on the line and who they have the
23 service with.

00016

01 Q. Do the CPNI rules -- well, first of
02 all, are you familiar with the CPNI rules?

03 A. Generally.

04 Q. Okay. Do they apply to Supra as
05 well?

06 A. Yes.

07 Q. Which CPNI rules do apply to Supra
08 if you remember or if you know?

09 A. I'm not aware that the CPNI rules
10 make broad exclusions for CLECs or make any
11 differentiation between CLECs and ILECs.

12 Q. Does Supra comply with the CPNI
13 rules?

14 A. Yes.

15 Q. What are Supra's policies regarding

16 the use of CPNI or does Supra have policies?
17 It's a predicate question.

18 A. I'm not sure I understand the
19 question.

20 Q. Does Supra have policies --

21 A. Do we have a specific document?

22 Q. Or not specific document or just a
23 general policy regarding the use of CPNI.

00017

01 A. I mean, our policy is just to comply
02 with the law. I'm not sure we have a document
03 that details the steps that are necessary to
04 achieve that.

05 Q. Okay. Based upon your understanding
06 of CPNI, would it be permissible for Supra to
07 view the records of a telephone customer who
08 is not a Supra customer?

09 A. Yes, with a customer's permission.

10 Q. What if you don't have a customer's
11 permission?

12 A. No.

13 Q. Does Supra view the records of a
14 customer that is not a Supra end user and who
15 has not given Supra permission to view his
16 records?

17 A. No.

18 Q. And how do you know that?

19 A. Because -- first of all, initially
20 we did that through training and the people
21 that were given access to the letter system
22 were prohibited from doing that. More
23 recently, we have pretty much taken direct

00018

01 LENS access away from our people and they get
02 access to your OSS by going through our OSS,
03 and those requirements obviously are coded
04 into the software so that before a customer
05 record is pulled up through our system, they
06 have to be authorized -- they have to get the
07 information from -- from the customer
08 themselves.

09 Q. Regarding the employees who Supra
10 has offshore who are making limited winback
11 acquisition efforts that you've testified
12 about, how do you train them regarding --

13 MR. CRUZ-BUSTILLO: Objection to
14 characterization of his testimony. He's not
15 sure whether or not they're engaged in that
16 telemarketing at this time, subject to check.

17 MR. MEZA: okay. That's -- fair
18 objection noted.

19 Q. (By Mr. Meza) How does Supra train
20 these employees regarding United States law
21 and the use of customer information?

22 A. We train all our employees off the
23 same set of rules, whether they're domestic or

00019

01 international.

02 Q. And what are those rules?

03 A. You would have to ask someone
04 involved with training.

05 Q. Have you ever participated in that
06 training?

07 A. Yes but not recently.
08 Q. Do you know if Supra has a package
09 of documents that it uses in its training
10 relating to CPNI -- training docs?
11 A. There's two questions there.
12 Q. Okay.
13 A. Does Supra have a package of
14 training documents, yes. Is there something
15 specific to CPNI, I'm not certain.
16 Q. Okay. Now, you're not a lawyer; is
17 that correct?
18 A. That's correct.
19 Q. Have you ever received any
20 specialized training on the use of CPNI?
21 A. You mean other than reading Section
22 222 of the Act?
23 Q. Yes. Have you gone to a seminar?

00020

01 A. I don't believe so.
02 Q. And have you ever worked at the FCC?
03 A. No, I have not.
04 Q. Have you ever participated in the
05 drafting of an FCC order?
06 A. Yes, I believe so.
07 Q. From the FCC, not from a submission
08 that you've submitted to the FCC.
09 A. Oh, no, I've made submissions and
10 comments and things of that nature.
11 Q. But as far as --
12 A. And testified before the FCC and
13 before Staff.
14 Q. -- selecting the text that goes into
15 a FCC order?
16 A. No, I have not.
17 Q. What did you do to familiarize
18 yourself if anything with the FCC rules
19 relating to CPNI before filing your testimony?
20 A. I reviewed Section 222 of the Act.
21 I reviewed the PSC order 03-726 and the key
22 customer tariff and the FCC orders that were
23 referenced in there. I believe it's FCC order

00021

01 03-42.
02 Q. Did you read FCC order 99-223?
03 A. Yes, that's also referenced in
04 there.
05 Q. would you like -- is it fair to say
06 that if it was referenced in the key customer
07 order, you looked at it?
08 A. Yes.
09 Q. Did you look at any other documents
10 other than documents referenced in the key
11 customer order?
12 A. I don't believe so.
13 Q. Okay. Is there a difference between
14 CPNI and wholesale information in your mind?
15 A. Yes.
16 Q. what difference? what is the
17 difference?
18 A. well, for example, the fact that a
19 customer has chosen to switch from one -- from
20 one carrier to another I don't believe is
21 identified as CPNI, but it's most definitely

22 wholesale information when there's a wholesale
23 provider involved.

00022

01 Q. Are there times when a carrier can
02 use CPNI?

03 A. Subscriber list information is
04 specifically excluded.

05 Q. What else?

06 A. When the acquiring carrier receives
07 a letter of authorization from the future
08 customer.

09 Q. Anything else?

10 A. Not that I can think of.

11 Q. Is there any difference between
12 wholesale information and carrier-to-carrier
13 information?

14 A. I never gave that much thought.
15 Because of geography and the way incumbent LEC
16 territories are arranged, I would think that
17 in any carrier-to-carrier transaction, there
18 would have to be at least one wholesale
19 carrier involved. I never really gave that
20 much thought. I'm not sure that there is.

21 Q. Let's take the LPIC situation that
22 Mr. Cruz-Bustillo described to Mr. Wolfe for
23 which you were present.

00023

01 A. LPIC, okay.

02 Q. You have a Supra local exchange
03 customer switching long distance service from
04 AT&T to MCI. Would that transaction involve
05 carrier-to-carrier information?

06 A. Yes.

07 Q. Why?

08 A. Well, both Supra, AT&T, and MCI are
09 all carriers.

10 Q. What rules or prohibitions apply to
11 the use of that carrier-to-carrier
12 information?

13 A. In what regard?

14 Q. Do the rules prohibiting the use of
15 carrier-to-carrier or wholesale information
16 apply in that situation?

17 A. Could you be more specific what
18 rules are you talking about?

19 Q. The rules that you reference in your
20 testimony that you say are applicable to
21 BellSouth.

22 A. Okay. Well, in that -- in that
23 case, no, because those -- those are rules

00024

01 specifically intended to govern the behavior
02 of the incumbent LEC.

03 Q. So if I switched to BellSouth -- if
04 I switched to BellSouth as the local exchange
05 carrier instead of Supra, would the rules that
06 you reference in your testimony be applicable
07 to that LPIC transaction?

08 A. Yes.

09 Q. So is it your opinion that BellSouth
10 should not be entitled to CARE information
11 relating to that transaction when it is the
12 underlying local exchange carrier because it

13 involves carrier-to-carrier wholesale
14 information?

15 MR. CRUZ-BUSTILLO: Objection to
16 form. Do you mean -- well, I don't --

17 MR. MEZA: Okay.

18 A. Can you repeat it?

19 Q. Yeah. Is it your position that
20 BellSouth should not be entitled to receive
21 CARE information -- CARE records when it is
22 the local exchange carrier?

23 MR. CRUZ-BUSTILLO: That was it,

0025

01 objection to form. Do you mean -- when you
02 say BellSouth, that's my question, please
03 define it. Do you mean BellSouth being
04 notified that they are the winner or the loser
05 or are you talking about MKIS within BellSouth
06 regarding that LPIC. That's what I meant.

07 MR. MEZA: Fair clarification.

08 Q. (By Mr. Meza) I am referring to
09 BellSouth as a local exchange carrier and
10 BellSouth knowing on the MKIS side -- retail
11 side.

12 A. Well, I don't know if you meant it
13 as a trick question --

14 Q. No.

15 A. -- but it's a little -- here's the
16 issue. In that scenario, BellSouth is the
17 author of that change CARE record; therefore,
18 the customer wanted to change from one carrier
19 to another. AT&T and MCI can't effect that
20 change. BellSouth has to effect the change;
21 therefore, BellSouth publishes the result.
22 They acquire that information in the course of
23 normal business. You already had it.

0026

01 Q. well, how is that different than in
02 the local exchange scenario when it's a
03 migration from BellSouth retail to Supra
04 UNE-P?

05 A. Taking a long distance example --

06 Q. Yeah.

07 A. -- and try to compare it to a
08 local --

09 Q. If it doesn't work, tell me it
10 doesn't work.

11 A. -- exchange example, I'm not sure
12 the two connect.

13 Q. Okay. Is it your position that
14 BellSouth cannot use the fact that it lost a
15 customer pursuant to a CLEC submitted LSR to
16 update CRIS?

17 A. No.

18 Q. So you would agree with me that when
19 you issue a CLEC LSR to convert my customer to
20 your customer, that I can take the fact of
21 that disconnect and tell the retail side to
22 update the CRIS billings?

23 A. Well, I would certainly like you to

0027

01 stop billing my customer.

02 Q. Okay. So you recognize that there
03 has to be some exchange of information between

04 the wholesale side and the retail side when
05 you win a customer?

06 A. Well, you do have to stop billing
07 the customer, yes.

08 Q. Yes, okay. Do you know what
09 information BellSouth uses -- when I mean
10 BellSouth, the wholesale side uses -- to tell
11 the retail side to stop billing this end user,
12 he's no longer our customer?

13 A. That depends on what level of detail
14 you want me to go to. I'm aware that the
15 customer record and CRIS changes the operating
16 company number from BellSouth to Supra
17 Telecom --

18 Q. Okay.

19 A. -- as a result of that change. If
20 you want me to get more into the mechanics,
21 I'm not sure I --

22 Q. Do you know if it's the same
23 disconnect order information that's used in

00028

01 Sunrise is also used to inform the retail side
02 to stop billing a customer?

03 A. Based on what I've read from
04 Mr. Pate's testimony, I'm not sure that it is
05 although that order may trigger it. I was
06 more under the impression that you use
07 something called a navigator contract to
08 effect that change.

09 Q. Okay. But Mr. Pate would know more
10 than you on that?

11 A. You'll have to ask Mr. Pate.

12 Q. Would you defer to Mr. Pate on his
13 explanation unless you disagree with it?

14 A. I'm sorry, is there a question?

15 Q. Yeah. Would you defer to Mr. Pate
16 as to how BellSouth notifies its retail side
17 to stop billing a customer through the OSS
18 process?

19 A. Well, I just told you that the
20 customer record changes ownership through the
21 change of the operating company number. If
22 your question is related to --

23 Q. Specifically how it --

00029

01 A. -- the data flow mechanism.

02 Q. Yes.

03 A. I'll defer to someone who actually
04 wrote the code, which I don't believe would be
05 Mr. Pate.

06 Q. But you don't know for a fact that
07 BellSouth doesn't use the same information?

08 A. No, I don't.

09 Q. Now, from 2001 to the present, do
10 you know how many customers Supra actually
11 lost to BellSouth as a result of receiving
12 some type of marketing activity or paper from
13 BellSouth as a result of Operation Sunrise?

14 A. I would if you told me. I'm sure
15 you have the records that would back that up.

16 Q. Do you have any records?

17 A. I think we're missing a key piece of
18 information. I think we're missing a piece of

19 information that would identify who got what
20 from you. We're not privy to that
21 information.

22 Q. Okay. Let me try again. Do you
23 have any specific evidence of any particular

0030

01 supra end user that left supra because he or
02 she received some type of marketing
03 reacquisition offer from BellSouth as a result
04 of Operation Sunrise?

05 A. I believe we do.

06 Q. And what evidence is that?

07 A. Oh wait a minute, as a result of
08 Operation Sunrise. Well, that would imply
09 that the customer knew that the marketing
10 material they received came from Operation
11 Sunrise and not from some other avenue. I
12 mean, we -- we have communications from
13 customers and ex-customers. We have in the
14 past shown you evidence of marketing materials
15 that were sent to our customers. You're
16 asking me to tie the loop and prove that those
17 came from Operation Sunrise, I'm not sure.

18 Q. Okay. Did you present any evidence
19 in this proceeding to establish that supra has
20 in fact lost any customers as a result of
21 Operation Sunrise?

22 A. I'm not certain we felt that it was
23 necessary seeing as how we're not involved in

0031

01 the damages proceeding to quantify that. It's
02 more of a regulatory issue looking for rule
03 changes.

04 Q. Has supra ever done a study on why
05 customers leave supra?

06 A. Yes.

07 Q. And what were the results of that
08 study?

09 A. Which one?

10 Q. How many are there? I asked you a
11 study and you said yes, so I presumed it was
12 singular. Are there more than one study?

13 A. Uh-huh.

14 Q. Okay. How many studies are there?

15 A. I don't have the answer to that.

16 Q. What is the most recent study?

17 A. The last one I saw was probably
18 about 35 days ago.

19 Q. Okay. And what time period did that
20 study cover?

21 A. The prior week.

22 Q. Does supra generate weekly studies?

23 A. We definitely were through much of

0032

01 the early part of this year. I haven't seen
02 any for awhile.

03 Q. But the most recent study that you
04 remember seeing, what were the reasons why
05 supra -- well, supra customers left supra, do
06 you remember?

07 A. Better offer from another carrier
08 and billing problems.

09 Q. What percentage left for a better

10 offer from another carrier?

11 A. I don't have that memorized.

12 Q. Do you have any understanding?

13 A. Huh?

14 Q. Do you have any understanding of
15 what percentage?

16 A. Most of those that had left had left
17 for that reason. I mean, we do this as a
18 matter of course to see if -- see if our
19 products and services have problems, and if we
20 do, we take corrective action. Obviously, if
21 it's an offer from another -- another carrier,
22 there's not a lot we can do.

23 Q. Did the study identify which offers

00033

01 and from which carriers they were leaving for?

02 A. Not at the level of detail I saw it.

03 Q. Okay. So you don't know if they all
04 left for BellSouth, do you?

05 A. Me personally, no.

06 Q. Do you know if Supra customers go to
07 carriers other than BellSouth when they leave
08 Supra?

09 A. Sometimes.

10 Q. And does Supra customers, do they
11 move and discontinue receiving service from
12 Supra?

13 A. Certainly, we do transfers and
14 disconnects as well.

15 Q. And does Supra disconnect customers
16 for nonpayment?

17 A. We certainly -- we certainly suspend
18 service for nonpayment. Do we permanently
19 disconnect for nonpayment, I would say -- I
20 would say we have. We would rather obtain the
21 money that's owed us and continue the
22 relationship.

23 Q. Do you know what percentage of Supra

00034

01 customers leave Supra every month?

02 A. For what month? I mean --

03 Q. The most recent month that you
04 remember.

05 A. The most recent months that I
06 remember would probably be January through
07 June of last year when we were running
08 somewhere between 1.5 and 1.7 percent.

09 Q. Is there an industry term that you
10 use to describe that?

11 A. Churn.

12 Q. And that's 1.5 to 1.7 for the entire
13 time period or per month?

14 A. Per month.

15 Q. Do you believe that churn rate is
16 consistent today?

17 A. Well, because of the issues that we
18 went through mutually last fall, that's gone
19 up and down, and that's why I don't want to be
20 specific right now.

21 Q. Fair enough.

22 A. We're engaged in a fresh study of
23 it.

00035

01 Q. Does Supra use the PMAP line loss
02 report for anything, for any purpose?

03 A. I believe we're now using it to get
04 a better indication of when we should stop
05 billing customers that have left.

06 Q. So you use it to --

07 A. In the first --

08 Q. I'm sorry, I will let you explain to
09 me how you use it in greater detail.

10 A. It's something we fairly recently
11 added to our OSS interface to make sure we
12 don't bill customers after they've left us
13 because the notifications we got through older
14 methods from BellSouth or by bill analysis had
15 too much lag time built into them so they have
16 crafted an interface in PMAP to stop billing
17 customers.

18 Q. So you use PMAP -- the notification
19 from PMAP to notify your billing system to
20 stop billing the individual customer
21 identified in PMAP?

22 A. Yes.

23 Q. And when did that start?

00036

01 A. I don't know.

02 Q. Is it fairly recent?

03 A. Yes.

04 Q. Do you know why Supra chose to tie
05 PMAP to its billing?

06 A. Because the other methods we were
07 using, the line loss reports we were receiving
08 from BellSouth often were erroneous and we
09 were consuming a lot of time in the call
10 centers resolving billing problems and so
11 we're constantly looking for ways to eliminate
12 that.

13 Q. What other line loss reports has
14 BellSouth provided to Supra?

15 A. Well, you used to send us a report
16 every day in the mail, and then there was --
17 we've got a reconciliation process with the
18 monthly BellSouth bills but because of a
19 particular customer's billing cycle, which may
20 not coincide with the monthly billing cycle
21 from BellSouth, we could erroneously bill a
22 customer if we didn't have a better way to
23 stop it. It's my understanding that those

00037

01 daily reports that used to be -- used to be
02 mailed to us were stopped at some point, so we
03 had no choice but to go to PMAP.

04 Q. Have you found that PMAP -- the data
05 in PMAP is reliable?

06 A. That's not something I've looked
07 into at this point.

08 Q. Do you have any reason to believe
09 that it's not reliable?

10 A. Well, our -- our mutual experience
11 over the last six or eight years would lead me
12 to probably question it, yes.

13 Q. Fair enough.

14 MR. MEZA: we've actually been going
15 about an hour so let's take a break.

16
17
18
19
20
21
22
23
0038
01
02
03
04
05
06
07
08
09
10
11
12
13
14
15
16
17
18
19
20
21
22
23
0039
01
02
03
04
05
06
07
08
09
10
11
12
13
14
15
16
17
18
19
20
21
22
23
0040
01
02
03
04
05
06

(Whereupon, there was a brief recess
taken from 2:10 p.m. to 2:16 p.m.)

MR. MEZA: Linda, just for planning
purposes, I probably have another 20 to 30
minutes, and then I am going to end the depo
and start up on Monday with Mr. Nilson's

testimony, and we'll conclude the testimony on
Monday just to let you guys know what my plans
are.

MS. DODSON: Okay. I have some
questions and I will ask them now.

MR. CRUZ-BUSTILLO: Oh you mean,
Linda, questions of Mr. Nilson or just general
questions?

MS. DODSON: Just general questions.

MR. CRUZ-BUSTILLO: Oh, okay.

MR. MEZA: Do you want to do those
now. I don't think we need to have it on the
record.

MS. DODSON: No, it doesn't need to
be on the record.

(whereupon, a discussion was held
off the record.)

MR. CRUZ-BUSTILLO: On the record
right now.

The document that we're going to be
e-mailing to Linda Dodson is -- those portions

of that transcript that are deemed
confidential shall be deemed confidential by
all the parties, and I don't know that we can
do this, but cannot be subject to a public
records request simply because it was
communicated to Ms. Dodson over the e-mail.

MR. MEZA: Correct, and the parties,
either BellSouth or Supra, will follow up with
the appropriate procedures necessary to insure
the continued treatment until resolution by --

MS. DODSON: When you send that,
state that in your e-mail too about
confidentiality.

MR. MEZA: Well, I tell you what,
for benefit of the court reporter, Jorge and I
will draft a short statement reflecting the
agreement of the parties so she can attach it
in her e-mail to you.

MR. CRUZ-BUSTILLO: Actually, do you
know what, she can get what she just
transcribed. She's going to know what she
just transcribed, put it on the e-mail.

MR. MEZA: That's right.

MS. DODSON: That will be fine.
Just as long as there is some statement on
there.

MR. CRUZ-BUSTILLO: The final thing
is that the only reason it was sent to her by
e-mail instead of by FedEx, which there

07 wouldn't be an issue of public records, is
08 because that's the most efficient and quick
09 way to get it to her.

10 MS. DODSON: Fine, we agree.

11 MR. MEZA: Great. Are we ready to
12 proceed with the depo?

13 MR. CRUZ-BUSTILLO: Yes, proceed.

14 Q. (By Mr. Meza) Mr. Nilson, you
15 referenced several studies that Supra has had
16 over the past relating to why it loses
17 Supra -- Supra customers. Do you remember
18 that?

19 A. Yes.

20 Q. Do you know when they started these
21 studies?

22 A. I would say they've been done
23 periodically throughout our entire history.

00041

01 The ones I was referring to have been since --
02 probably since early November.

03 Q. 2002?

04 A. Yes, sir.

05 Q. Was there some type of study prior
06 to November of 2002?

07 A. There were but I wouldn't say it was
08 quite as formalized or as regular as what we
09 embarked on through December, January,
10 February of the most recent year.

11 Q. Since November of 2002, do you know
12 how often those studies were generated?

13 A. The ones I saw came out every week.

14 Q. Prior to November 2002, do you know
15 how often they were generated?

16 A. How often isn't an appropriate word.
17 They were occasional.

18 Q. Occasional.

19 A. With one and a half percent churn,
20 we were more interested in servicing the
21 incoming customers than going back and
22 looking.

23 Q. Are those reports in writing today

00042

01 and in Supra's possession?

02 A. I don't know.

03 Q. Okay. Mr. Nilson, do you have your
04 direct testimony in front of you?

05 A. I do.

06 Q. Can you please refer to page eight?

07 A. Yes.

08 Q. Excuse me, strike that. Pages 11
09 through 15, do you see those pages?

10 A. I do.

11 Q. And page 11, line 14 you start with
12 the question, "Do ELEC and retail interfaces
13 operate in essentially the same time and
14 manner"?

15 A. That's correct.

16 Q. And I believe the following pages up
17 to 15 address in one way or another that
18 question?

19 A. Yes.

20 Q. Okay. Is it Supra's position in
21 this case that BellSouth is not providing

22 nondiscriminatory access to its OSS?

23 A. That's -- that's not the purpose of

00043

01 this testimony. The purpose of this testimony
02 was to provide background information so that
03 people could understand the way orders flow.
04 I'm not making a claim of discriminatory or
05 nondiscriminatory access or parity or anything
06 of that nature.

07 Q. Okay. So supra is not requesting as
08 any of its request for relief that the
09 Commission address whatever perceived or real
10 issues that you may have with the retail
11 versus CLEC interfaces; is that correct?

12 A. I'm not addressing any of my
13 concerns with the differences between the
14 interfaces.

15 Q. Thank you. Do you have the second
16 set of responses to -- excuse me, the second
17 set of interrogatories to supra, your
18 responses to those, do you have those?

19 A. Have you received them?

20 Q. Yes, I have.

21 A. Then I don't.

22 Q. And I'm looking for Staff's benefit
23 on number ten and specifically the last

00044

01 paragraph, number ten.

02 MR. CRUZ-BUSTILLO: Whose answers
03 are these?

04 MR. MEZA: Yours.

05 MR. CRUZ-BUSTILLO: To Staff?

06 MR. MEZA: Yeah.

07 MR. CRUZ-BUSTILLO: I'm looking at
08 the last paragraph, ten?

09 MR. MEZA: Yes.

10 Q. (By Mr. Meza) Did you author that
11 response, Mr. Nilson?

12 A. Yes.

13 Q. Let me see if I can ask you the
14 question without taking it in front of you.
15 You reference 670,000 CLEC orders that
16 Mr. Pate referenced in his rebuttal testimony;
17 is that correct?

18 A. Yes, sir.

19 Q. Do you know if those are 670,000
20 orders migrating a BellSouth customer to a
21 CLEC?

22 A. Oh, I would almost assuredly say
23 that only a fraction of them are.

00045

01 Q. Okay. Can you explain to me the
02 basis for your statement that BellSouth has a
03 burden to demonstrate that it learned of all
04 of these approximately 670,000 CLEC switches
05 from some independent source that is not its
06 own wholesale operations?

07 A. Provided -- provided that there was
08 a marketing attempt to either retain or win
09 back the customer, that's a true statement.
10 If BellSouth merely processed 670,000 LSRs who
11 never made an attempt to retain or win back
12 one of those customers, I would retract that

13 statement.

14 Q. Let's see if we can clear it up this
15 way. You're not suggesting that all 670,000
16 orders were CLEC switches as you used the
17 phrase in your response, are you?

18 A. When you say CLEC switches, you're
19 talking about BellSouth to Supra --

20 Q. Correct.

21 A. -- MCI to Supra or something of that
22 nature?

23 Q. Correct.

0046

01 A. No, generally -- generally there's
02 some ratio, eight or nine to one.

03 Q. And you would agree with me that
04 some portion of those 670,000 LSRs probably
05 include CLEC submitted change orders?

06 A. I would say the majority of them are
07 CLEC submitted change orders.

08 Q. And for those orders you wouldn't
09 believe -- you don't believe that BellSouth
10 has an obligation to do what you say we have
11 to do in your response relating to independent
12 retail source and the like?

13 A. Well, with a caveat. Were you to
14 trigger some marketing winback efforts based
15 on that order, I would have -- I would have an
16 issue with that, but based on the deposition
17 testimony of Mr. Wolfe over the last couple of
18 days, it sounds like a lot of that is not
19 subject to Project Sunrise.

20 Q. Is it Supra's position that through
21 Project Sunrise BellSouth is using CLEC to
22 CLEC migration orders as winback attempts or
23 marketing attempts?

0047

01 A. Based on the testimony I've heard
02 for the last two days, you don't have any way
03 not to do that. It's -- it's kind of inherent
04 in the process. For example, I provide an
05 exhibit with my direct testimony. I'm sure
06 you're going to ask me about it later --

07 Q. Sure.

08 A. -- where my home phone number was
09 converted from resale to UNE. I don't know if
10 you're aware of it but BellSouth requires
11 Supra as a resale provider to operate under
12 one operating company number and Supra as a
13 UNE provider to operate under a completely
14 different operating company number. However,
15 the BellSouth OSS was coded and we've known
16 this from prior proceedings that it looks at
17 an operating company number change as a change
18 from one carrier to another so -- sorry, I
19 forgot the question now.

20 Q. The question is, are you suggesting
21 or advancing in this claim that BellSouth is
22 using CLEC to CLEC migration orders in Sunrise
23 for marketing purposes?

0048

01 A. I don't see how you could avoid
02 doing that.

03 Q. How I could avoid from doing that?

04 A. Yeah, based on -- based on
05 Mr. Wolfe's deposition that disconnect order
06 from one CLEC or the single C from one CLEC
07 would be captured by Sunrise, and I don't see
08 anything in his testimony that says it would
09 be pulled back out of the final table, so it
10 would be there.

11 Q. Do you have any evidence that
12 BellSouth is marketing customers who are part
13 of a CLEC to CLEC migration other than your
14 reliance on Mr. Wolfe's testimony?

15 A. Other than Mr. Wolfe's testimony and
16 the Sunrise documents, I think that's quite a
17 lot of evidence but I mean, do I have direct
18 knowledge that you sent a letter on a change
19 from Supra to MCI, the answer would be no. I
20 do have evidence that you send a letter
21 unchanged from Supra resale to Supra UNE, but
22 beyond that, no. I don't see how you could
23 avoid doing it.

00049

01 Q. Is it -- I take it then it's also
02 your position that BellSouth is using
03 Operation Sunrise to market CLEC back to
04 BellSouth transactions because it's a D order?

05 A. Explain that again.

06 Q. Is it also your position based upon
07 your understanding of Mr. Wolfe's testimony
08 that BellSouth is using Operation Sunrise to
09 market CLEC to BellSouth transactions?

10 MR. CRUZ-BUSTILLO: Objection, form.

11 MR. MEZA: Why? What's wrong with
12 the question?

13 MR. CRUZ-BUSTILLO: Mischaracter-
14 ization of testimony.

15 MR. MEZA: Okay.

16 MR. CRUZ-BUSTILLO: He already said
17 that those orders don't make it to the
18 permanent Sunrise table because you wouldn't
19 market to the customer who just came back.

20 MR. MEZA: You can answer.

21 MR. CRUZ-BUSTILLO: But you have to
22 answer, Dave. I can't direct you not to
23 answer. My objection was mischaracterization

00050

01 of testimony. I don't want to say any more.

02 A. Let me answer the question, okay. I
03 think given what we know about Operation
04 Sunrise that there would be a record put in
05 the final table that would indicate that
06 something should be done in Sunrise. I would
07 think that you would probably want to avoid
08 the postage and cost of doing that, and so in
09 the processing of Operation Sunrise, maybe you
10 don't actually send that letter out.

11 Q. But let's --

12 A. But I've not heard any testimony to
13 that effect but I would make a reasonable
14 assumption that you would save the money of
15 marketing to a customer you had already won.

16 Q. But the same logic that you use to
17 base your belief on -- to base your belief
18 that BellSouth is marketing in the CLEC to

19 CLEC transaction would also apply from a CLEC
20 to BellSouth transaction, meaning that based
21 upon Mr. Wolfe's testimony, there's no
22 indication that those types of disconnect
23 orders are removed?

□0051

01 A. No, but you've got a -- you've got a
02 CPNI problem on a CLEC to CLEC order about
03 going back into CRIS and taking a look at the
04 records in that case that you don't have on a
05 CLEC to BellSouth issue.

06 Q. I understand that, but there may be
07 some ancillary issues that arise but
08 logically, I'm following your logic, there
09 shouldn't be any difference -- if we're doing
10 it for CLEC to CLEC migration, then we should
11 be doing it for CLEC to BellSouth migration
12 based on your understanding of how it works?

13 A. No, I think you're putting words in
14 my mouth.

15 Q. Okay.

16 A. The records end up in the final
17 Sunrise table in both cases. What you do with
18 them when they're in the final Sunrise table
19 may well be different depending on the logic
20 that's used to process the records. In other
21 words, the scoring and all of that comes
22 afterward.

23 Q. But it's your testimony that

□0052

01 disconnect orders generated from a CLEC to
02 CLEC migration and from a CLEC to BellSouth
03 migration end up in the permanent table in
04 Sunrise?

05 A. That's what we've been told for the
06 last two days.

07 Q. Briefly explain to me why Supra
08 believes that Operation Sunrise violates
09 whatever federal, state law you believe is
10 being violated.

11 A. Supra believes that the fact that we
12 submitted an order to you to take one of your
13 customers away cannot be used in any way,
14 shape, or form to generate marketing to win
15 that customer back, and I think that's very
16 clear in FCC order 03-42, paragraph 28.

17 Q. Are you relying on any other
18 authority for that position other than FCC
19 03-42?

20 A. The Public Service Commission order
21 03-726, the key customer tariff document.

22 Q. Anything else?

23 A. I think that's enough. I'm not

□0053

01 saying that that's the entire universe of
02 applicable rulings but I think that's enough
03 for us to win our position.

04 Q. Okay. What is the entire universe
05 of applicable rulings?

06 A. I'll tell you, I haven't looked to
07 see what the entire universe says.

08 Q. Are you relying on anything other
09 than FCC 03-42, the key customer order, and

10 FCC 99-223 to support your position that
11 Operation Sunrise violates federal CPNI laws
12 or Section 222(b)?

13 MR. CRUZ-BUSTILLO: Jim, let me jump
14 in here and say that because it's -- at the
15 witness --

16 MR. MEZA: What's your objection?

17 MR. CRUZ-BUSTILLO: My objection is
18 that -- object to form.

19 MR. MEZA: Okay.

20 MR. CRUZ-BUSTILLO: Because Supra as
21 a company is relying on all those provisions
22 we outline in our original complaint which
23 includes more than that.

□0054

01 MR. MEZA: Fair enough.

02 MR. CRUZ-BUSTILLO: If he doesn't
03 include it --

04 MR. MEZA: Fair enough.

05 MR. CRUZ-BUSTILLO: -- that doesn't
06 mean that we're not going to rely on it.

07 MR. MEZA: Fair enough.

08 Q. (By Mr. Meza) Mr. Nilson, other than
09 those three orders that I just referenced, are
10 you relying on any other authority to support
11 your position as to why BellSouth is in
12 violation of CPNI or Florida law with
13 Operation Sunrise?

14 A. Well, certainly I refer back to the
15 Act, second report and order, first report and
16 order, but no, I think quite honestly had my
17 attorney not spoken up, my answer to you would
18 have been that's a question of legal argument
19 that the attorneys are going to make and not
20 me.

21 Q. Okay.

22 A. You know, I wrote my testimony and
23 my testimony cites to what I used.

□0055

01 Q. Sure.

02 MR. MEZA: Let me confer for two
03 minutes and I think we'll call it.

04

05 (Whereupon, there was a brief recess
06 taken from 2:41 p.m. to 2:45 p.m.)

07

08 MR. MEZA: Staff, are you ready?

09 MS. DODSON: Yeah, we're ready.

10 MR. MEZA: I have no further
11 questions at this time and I look forward to
12 continuing the depo Monday morning at 10:00
13 Eastern time. Thanks. Do you have anything
14 else on the record?

15 MR. CRUZ-BUSTILLO: No, I have no
16 redirect right now.

17 MS. DODSON: We don't have anything
18 further.

19

20 (Whereupon, the deposition was
21 recessed at 2:45 p.m.)

22

23

FURTHER DEPONENT SAITH NOT

08 A. To my knowledge, all disconnect
09 orders have a disconnect reason code.
10 Q. Mr. Wolfe, why not have the orders
11 that populate the permanent Sunrise table, why
12 not allow them to have a disconnect reason
13 code assigned to them and keep the code there
14 when it finally hits the permanent Sunrise
15 table?

16 A. Are you asking me why it doesn't?
17 Q. Yeah; yes.
18 A. The reason it doesn't is because the
19 instructions that I've been given in this
20 process have me filter it out.

21 Q. Wouldn't you want to know where --
22 wouldn't the personnel -- wouldn't you,
23 Mr. Wolfe, want to know where the CLEC LSR or

0104
01 I'm sorry, where the order had its origins
02 from, whether retail or wholesale?

03 A. No.
04 Q. Why not?
05 A. BellSouth cares whether we lost a
06 customer.
07 Q. That's the important part, that they
08 lost the customer?

09 A. Yeah.
10 MR. MEZA: Are you finished with
11 these, Jorge?
12 MR. CRUZ-BUSTILLO: Oh, yes. Did we
13 mark it as an exhibit?
14 MR. MEZA: Yeah, we marked it, and
15 it was confidential.
16 MR. CRUZ-BUSTILLO: Give me five
17 minutes and then I think I have like ten
18 minutes left.

19
20 (Whereupon, a discussion was held
21 off the record.)
22 (End confidential.)
23 Q. (By Mr. Cruz-Bustillo) Let's turn to

0105
01 page nine of your testimony, lines five
02 through ten, where it ends with the word
03 competitors. Can you read that into the
04 record, and I'll ask you a question or let
05 your counsel look at it first, please, and
06 this will be the last question before the
07 break.

08 MR. MEZA: Okay.
09 A. Lines five through ten, is that what
10 it was?

11 Q. Ten, right, ending with the word
12 competitors.
13 A. "Using the Harmonize feed, Sunrise
14 eliminates those orders for which certain
15 BellSouth retail reason codes exist that
16 indicate that the disconnection occurred for
17 some reason other than the switch to a
18 competing CARE."

19 Q. Read the next sentence.
20 A. "It assumes that the remaining
21 orders represent customers switching to other
22 carriers. BellSouth chooses to regard all

23 such losses as losses to competitors."

0106

01 Q. Okay. My question is that -- is it
02 your position in this testimony that you
03 don't -- that the personnel in the MKIS office
04 don't know that these are competitive
05 switches, that they just assume that they're
06 competitive switches? Is that the point of
07 that testimony?

08 A. Yes.

09 Q. You know that they're competitive
10 switches, don't you?

11 A. Operation Sunrise in the process
12 does not know that information, does not know.

13 Q. Okay. Well, that's back to the
14 distinction we were making earlier between
15 Operation Sunrise and personnel at MKIS. I'm
16 asking about Mr. wolfe. Mr. wolfe works at
17 MKIS. Mr. wolfe drafted his testimony and
18 Mr. wolfe is the author of the Sunrise manual.
19 I want to know -- and Mr. wolfe said that you
20 filter out disconnect orders from the retail
21 side with the CO disconnect reason code. I
22 want to know if Mr. wolfe knows that all the
23 records that ultimately populate the permanent

0107

01 Sunrise table are in fact switchers that have
02 left BellSouth in the last seven days to a
03 competitor.

04 A. What Mr. wolfe knows is that the
05 disconnect -- the disconnect records are -- we
06 assume that they're competitive disconnects
07 and it's -- assumed competitive disconnects
08 and unknowns in that final -- when we move it
09 to the permanent table.

10 Q. Hand me that testimony right there.

11 A. Okay.

12 Q. Mr. wolfe, let me ask you again,
13 okay.

14 A. Okay.

15 Q. I didn't hear an answer to my
16 question.

17 A. Okay.

18 Q. You work at MKIS?

19 A. I do.

20 Q. You authored the document of the
21 Operation Sunrise manual?

22 A. You're referring to the one in 2001?

23 Q. That's correct.

0108

01 A. Yes, I did.

02 Q. You know, Mr. wolfe, is it not
03 correct -- you know what information flows
04 from SOCS to the Harmonize database; correct?

05 A. I know much of that. I don't know
06 all of it but I know most of it, yes.

07 Q. Okay. You know how the filtering
08 process works when information moves from the
09 Harmonize database to the temporary Sunrise
10 table. You have personal knowledge of that;
11 correct?

12 A. I do.

13 Q. You have personal knowledge of

14 information that moves from the temporary
15 sunrise table to the permanent sunrise table?

16 A. I do.

17 Q. You told me that disconnect orders
18 with a DCR of CO are filtered out and it
19 doesn't make it to the permanent sunrise
20 table; isn't that correct?

21 A. That's correct.

22 Q. So isn't it correct to conclude that
23 Mr. Wolfe does know that the records that

0109

01 ultimately populate the permanent sunrise
02 table are competitive switches, not Operation
03 Sunrise, Mr. Wolfe?

04 A. I know that those records populating
05 the permanent sunrise table will include
06 competitive disconnects, yes.

07 Q. It won't include anything else;
08 isn't that correct?

09 A. No.

10 Q. We're not talking about product
11 changes or local toll. We're only talking
12 about local service switches.

13 A. We're talking about local service
14 switches, yes.

15 Q. Because you already told me that the
16 sunrise database will contain for each of
17 those files that deal with local service,
18 toll, or product certain codes so you can
19 distinguish between those three groups. I'm
20 only talking about local service.

21 A. Right.

22 Q. If have you service orders regarding
23 switches with a disconnect reason code that

0110

01 makes it to the final table that had a BR and
02 RT that was assigned by LESOG or the LCSC and
03 the CO is filtered out and that comes from the
04 retail side, isn't it true that Mr. Wolfe has
05 actual knowledge that the ultimate records for
06 local service switches on the permanent
07 sunrise table all have their origins from CLEC
08 service orders; isn't that correct?

09 A. I know that the records in that
10 final permanent sunrise table will contain
11 competitive disconnects, yes.

12 Q. Originating from the wholesale side
13 of the fence?

14 A. The orders would have originated
15 from the wholesale side of the fence, yes.

16 MR. CRUZ-BUSTILLO: Thank you.
17 Let's take a break.

18

19 (Whereupon, there was a brief recess
20 taken from 11:05 a.m. to 11:14 a.m.)

21 Q. (By Mr. Cruz-Bustillo) Mr. Wolfe,
22 how are you again?

23 A. I'm fine, thanks.

0111

01 Q. Let's go to your testimony on page
02 nine, lines ten through 12, and I don't know
03 what we did with your testimony.

04

05 (whereupon, a discussion was held
06 off the record.)
07

08 Q. Page nine, lines ten through 12,
09 which begins before and ends with the word
10 suppressed. I just have one question about
11 that. Could you read that sentence, please?

12 A. "Before any reacquisition list is
13 generated using these assumed competitive
14 disconnects, the actual reason codes,
15 regardless of origin, are suppressed."

16 Q. This is asked and answered actually.

17 MR. MEZA: Go ahead.

18 Q. They're suppressed before they hit
19 the Sunrise table; is that correct?

20 MR. MEZA: Now, currently, as of
21 today?

22 A. As of today.

23 Q. Yeah, correct, as of now. Actually

0112

01 my question was, just to let you know, the way
02 I read the sentence before we had gotten into
03 the line of questioning before was I wanted to
04 know if they were suppressed prior to the
05 creation of the marketing list or prior to the
06 permanent table but we've already done that,
07 so let me move on. Can you read me lines 12
08 and 13 beginning with through this?

09 A. The entire sentence?

10 Q. Yes, please.

11 A. "Through this productive process,
12 BellSouth's retail marketing organization
13 receives exactly the same information any
14 carrier receives, information that a retail
15 customer has been lost."

16 Q. Does Supra or any CLEC have access
17 to the information that MKIS has?

18 A. Are you talking about to the actual
19 tables themselves?

20 Q. Or to the -- that will be one
21 question, and the second question so you can
22 answer them both is or to the actual list that
23 you send out to the third-party marketing

0113

01 vendors. Does Supra have access to either of
02 those lists?

03 A. No.

04 (Begin confidential.)
05

06 (Whereupon, Plaintiff's Exhibit 9
07 was marked for identification and a
08 copy of same is attached hereto.)
09

10 Q. Thank you. I'm going to show you --
11 I'm going to show you what's been Bates
12 stamped, now we're going confidential, as
13 Supra's DAN-8, 001030, and I'm going to show
14 it to counsel first and then ask you to
15 comment on it. That is a document that comes
16 from the June 2000 version of Operation
17 Sunrise document. Is that the table that is
18 created -- is that the table and the fields
19 that appear in the permanent Sunrise database?

20 MR. MEZA: As of June 2001?
21 MR. CRUZ-BUSTILLO: Well, we'll do
22 both, as of June 2001 and today. Answer both
23 questions.

0114

01 A. This is -- this is the layout for
02 this targeted table in 2001.

03 Q. And what does that mean, targeted
04 table?

05 A. This table contains those customers
06 that were targeted for local toll, product
07 feature, and local service.

08 Q. Okay. So those would be fields that
09 would appear in the permanent Sunrise table?

10 MR. MEZA: In 2001?

11 MR. CRUZ-BUSTILLO: In 2001.

12 A. In 2001 in this targeted table, yes.

13 Q. Yes. Could you read me line 20,
14 what does the field say?

15 A. Switched to.

16 Q. Would it be reasonable to conclude
17 that that field would include the name of the
18 CLEC that the individual switched to?

19 A. No.

20 Q. What would it mean?

21 A. It means the local toll carrier that
22 the customer said they switched to.

23 Q. I thought you just told me that this

0115

01 was the table that is used for local toll,
02 product changes, and local service. Why would
03 that field only include the name of the
04 competitor if it was local toll?

05 A. At the time of this document, there
06 was only product feature winback and local
07 toll winback.

08 Q. Because you didn't begin local
09 service until August 2001?

10 A. We did local service briefly in
11 March and April of 2001 and then we started
12 again in August of 2001.

13 Q. Okay. So this would be a field that
14 would have been populated in April 2001.
15 Would that be correct?

16 A. It would have been populated in
17 April 2001 for local toll.

18 Q. And for local service?

19 A. No.

20 Q. Didn't you say you did local service
21 in April 2001?

22 A. Yes.

23 Q. And this is a table that you would

0116

01 use for local service; correct?

02 A. Local service targeted customers
03 would have been in this table.

04 Q. Okay. And so therefore, at least
05 for that month, that field would have been
06 populated for local service winback; correct?

07 A. No.

08 Q. Why not?

09 A. Because this information was
10 gathered from customers on a local toll

11 outbound telemarketing call.

12 Q. And how would you have learned of
13 that -- of the local toll switch, from CARE?

14 A. Yes.

15
16 (Whereupon, Plaintiff's Exhibit 8
17 was marked for identification and a
18 copy of same is attached hereto.)

19
20 Q. Thank you. Let's mark that as the
21 next exhibit. On page 11, lines ten through
22 12, you have -- you identified as fields that
23 populate the permanent Sunrise table as the

0117
01 NPA, NXX, the line, the customer code, and the
02 date the data was extracted from SOCS. Are
03 there any other fields that are populated for
04 a particular record in the Sunrise table or is
05 that the only fields?

06 A. Are you talking about at this part
07 of the process or later?

08 Q. Well, at this part of the process as
09 I understand page 11, middle of the page,
10 we're talking about the permanent Sunrise
11 table, aren't we?

12 A. Yes.

13 Q. Okay. So my question is, we just
14 looked at a table that existed in 2001 that
15 had 26 fields. I want to know whether or not
16 in 2003, in August 2003, whether or not this
17 testimony is accurate, whether or not there's
18 only five fields, or whether or not there's
19 still 26 fields.

20 A. In the permanent table itself, there
21 are a lot of fields.

22 Q. Okay. Well, let's just focus on one
23 record.

0118
01 A. Okay.

02 Q. Me, Jorge Cruz-Bustillo, I used to
03 be a BellSouth customer. I switched to Supra
04 Telecom. My service record -- I'm sorry, the
05 service order -- the single C service order
06 converting me makes its way all the way down
07 to the permanent Sunrise table. When you, or
08 whether it's a computer, that looks at that
09 record, how many fields are there for that
10 particular record? Are there five or are
11 there 26?

12 A. Currently there's more than five. I
13 don't know exactly how many.

14 Q. Okay. Let's look at this exhibit
15 here. I'm holding here -- hold on. I'm going
16 to show you a document that has to remain
17 confidential. I ask you to -- if you
18 recognize it, and if you recognize it, what is
19 it. Let me show it to your counsel first.
20 The pending questions are do you recognize it.
21 I want you to take your time. The pending
22 questions are do you recognize it and what is
23 it if you do.

0119
01 A. I recognize it. It was a work

08-22-03 wolfe

02 request generated in July of 2001.
03 Q. And the first set of fields down at
04 the bottom of the first page going into the
05 second set, do those represent the fields for
06 a record that would exist in -- now I'm not
07 sure, the Harmonize table -- database or the
08 temporary Sunrise database? Can you clear
09 that up for me?

10 A. This would have been at the time of
11 this document the fields in the Harmonize
12 database.

13 Q. Okay. And how many fields are there
14 for that one record? Take your time and count
15 them.

16 A. There's 24 here.

17 Q. Okay. The second set of data fields
18 further down on the bottom of page two going
19 onto the third page, does that represent the
20 fields that will -- that would populate a
21 record in the permanent sunrise table?

22 A. At the time of this document?

23 Q. Yes.

0120

01 A. Yes.

02 Q. Okay. Can you tell me how many
03 fields there are, please?

04 A. Seventeen.

05 Q. Okay. Has that document been
06 modified or changed since 2001?

07 A. This document, yes, has been
08 changed.

09 Q. Are the databases -- are the data
10 fields that you identified that existed in
11 those two tables for 2001 the same fields that
12 are in effect today in August 2003?

13 A. No.

14 Q. Okay. With respect to the fields
15 that populate the permanent Sunrise table,
16 which fields have been eliminated?

17 A. I don't have the current table
18 structure in front of me so I cannot speak
19 entirely. I don't believe the SOCS first time
20 indicator is there. I don't believe the SOCS
21 business unit code is there. I don't believe
22 the SOCS effective bill date is there. I
23 don't believe the SOCS old telephone number is

0121

01 there. I don't believe the SOCS extract
02 status code is there.

03 MR. CRUZ-BUSTILLO: Okay. Can we
04 attach that as the next exhibit.

05
06 (Whereupon, Plaintiff's Exhibits 10
07 and 11 were marked for
08 identification and a copy of same
09 are attached hereto.)
10

11 Q. I'm going to show you a second
12 document. I ask you if you recognize it and
13 can you tell me what it is.

14 MR. CRUZ-BUSTILLO: Mr. Meza.

15 MR. MEZA: Yeah, we're still
16 confidential; correct, Jorge?

17 MR. CRUZ-BUSTILLO: Yes, sir.
18 Q. (By Mr. Cruz-Bustillo) Do you
19 recognize it and what do you recognize it to
20 be?

21 A. I recognize it and it is a
22 description of the process that we use to
23 identify probable leads for winback campaigns.

0122

01 Q. What's the date of that document?
02 Is there a date on the first page?

03 A. August 1st, 2001.

04 Q. Is it appropriate or is it the best
05 way for context that both Exhibit 10 and
06 Exhibit 11 should be read together?

07 A. If by that you mean that they refer
08 to basically the same process, then yes.

09 Q. Okay. The first one -- the first
10 document, Exhibit 10, the purpose of that was
11 for a work request. Would that be
12 appropriate?

13 A. It was a work request, yes.

14 Q. And then the second document is a
15 document produced to implement the work
16 request. Would that be correct?

17 A. Yes.

18 Q. On the second page in the second set
19 of fields, are those the fields that presently
20 populate a record in the current Sunrise
21 table?

22 A. Today?

23 Q. Yes.

0123

01 A. No.

02 Q. Is there a document that you're
03 aware of that's been produced by you that
04 eliminated some of those fields?

05 MR. MEZA: Object to the form,
06 produced -- you mean produced in discovery or
07 created or what?

08 MR. CRUZ-BUSTILLO: No, created,
09 produced by Mr. Wolfe. Let me ask the
10 question again.

11 Q. (By Mr. Cruz-Bustillo) Mr. Wolfe,
12 are you the author of both of these documents?

13 A. Yes.

14 Q. Okay. Have you authored another
15 document which have deleted fields from
16 Exhibit 11 that you're holding?

17 A. Yes.

18 Q. Do we have that document -- have you
19 produced that document in Arb. Five?

20 A. I don't recall producing it.

21 Q. Could you tell me for the record
22 what fields do you believe are not there and
23 actually you can mark them with this pen, to

0124

01 your best recollection, and it will be subject
02 to check.

03 A. Yes; yes, the ones --

04 Q. No, on the side. Just do on the
05 side, put a little circle -- the fields you
06 think that are no longer populated in the
07 permanent Sunrise table.

08 A. Okay. The ones that I think are no
09 longer there.

10 Q. Uh-huh.

11 A. Okay.

12 Q. One of the things that you
13 identified was a field that says identifies
14 the order as residential or business account.
15 Would the reason for that be that the
16 permanent Sunrise table, with respect to
17 residential switches, wouldn't include
18 business accounts?

19 A. Correct.

20 Q. Where do the business accounts flow
21 through -- flow to for switches for business?

22 A. They're in SOCS and that's as far as
23 I know.

00125

01 Q. Okay. Here you have the old
02 telephone number listed on a service order.
03 If somebody does a single C over UNE-P and
04 they keep the same number, that just wouldn't
05 be applicable; is that correct?

06 A. I don't know.

07 Q. And then you circled the extract
08 status for this record. Your rebuttal
09 testimony indicates that the information --
10 this is just to make clarify -- this isn't
11 like a hey, I got you or anything. You say on
12 your rebuttal testimony that the date the data
13 was extracted from SOCS does appear in the
14 final table. Is it you're unsure about this
15 one or are you saying that the extract date no
16 longer appears on the permanent Sunrise table,
17 this last one?

18 A. That last one, extract status code.

19 Q. Right. Well, let me ask this
20 question first to be clear. Is that field the
21 same as the entry or as the language written
22 in your rebuttal testimony on page 11, line
23 12, the date the data was extracted from SOCS?

00126

01 A. No.

02 Q. What does that mean then on Exhibit
03 11, field -- the extract status for this
04 record? What does that mean to you?

05 A. That means to me that the order
06 status, whether it's pending, complete, held,
07 the order status.

08 Q. Was there a time when this document
09 was produced that a pending order would make
10 it all the way to the permanent Sunrise table?

11 A. No.

12 Q. Okay. So that would always be a
13 completed order?

14 A. Always a completed order.

15 Q. So that's why you would have
16 eliminated it?

17 A. Yes.

18 Q. Can you turn to page -- yeah, can
19 you turn to page 14, lines 13 and 14. While
20 this is addressed to Ms. Summers, I believe
21 she indicated that you would have knowledge
22 about my question. Can you read lines 13 and

23 14, that sentence?

0127

01 A. "MKIS matches the telephone number
02 associated with the disconnect order to
03 BellSouth's former customer service record for
04 that number in CRIS."

05 Q. Is it the telephone number or is it
06 the customer code that is primarily relied
07 upon to extract or to identify the record in
08 CRIS?

09 A. It would be -- it would be both. It
10 would be the account number.

11 Q. That had -- you explained it to me
12 earlier, the account number would contain
13 both; correct?

14 A. Correct.

15 Q. Okay. So neither would be primarily
16 relied upon, they both equally would make up
17 the account number?

18 A. Correct.

19 Q. Okay. This is just for the record.
20 Can you read beginning on line 17 beginning
21 with MKIS uses this information and just read
22 me all the way through line 19?

23 A. "MKIS uses this information to

0128

01 generate a list of leads. These leads are
02 then supplied to a direct mail vendor which
03 sends a switch acknowledgment letter and in
04 some instances a follow-up marketing piece."

05 Q. Okay. The letters that -- the lists
06 that are generated, earlier you told me
07 there's about on average subject to check a
08 hundred thousand leads generated on a Friday
09 afternoon; is that correct?

10 A. I believe I said twice weekly.

11 Q. Right.

12 A. And so one of those is Friday, one
13 of those is Monday.

14 Q. Let's stick with the Friday list.
15 would the Friday list account for completed
16 switches for the previous seven days?

17 A. The process would be completed
18 orders from the previous seven days, yes.

19 Q. Thank you. When you said -- when we
20 just looked at lines -- page 14, lines 13 and
21 14, you said that we match up basically the
22 account number with filing CRIS. Is that done
23 computer to computer, mechanically?

0129

01 MR. MEZA: Object to the form. I
02 think --

03 MR. CRUZ-BUSTILLO: Let me rephrase
04 that. I knew what I meant. It wasn't coming
05 out correctly.

06 Q. (By Mr. Cruz-Bustillo) Is it done in
07 a mechanical way or is it done by a human
08 person?

09 A. I believe it's done mechanically in
10 the program.

11 Q. When the matching is completed, is
12 it correct to say that a lead for that working
13 telephone number has been generated?

14 A. What this states is information is
15 gathered and that becomes a part of the list
16 so that information that -- you know, it's a
17 step-by-step process.

18 Q. Okay.

19 A. So you get the information and then
20 later in the process you generate the list of
21 leads.

22 Q. Okay. Here, hand me your testimony.
23 Let me ask you a question.

0130

01 A. Okay.

02 Q. Okay.

03 MR. MEZA: And if you need to refer
04 to your testimony, feel free to refer to your
05 testimony.

06 Q. Absolutely, I -- just because I
07 sometimes -- the answer moves from the
08 question I was asking so.

09 A. Okay.

10 Q. But absolutely, if you need to refer
11 to your testimony, absolutely. My question is
12 that to your knowledge, once a lead is
13 generated -- oh, yeah. After the information
14 is matched with a CRIS file and we've just
15 concluded that matching is done -- I don't
16 want to use the wrong word. What word would
17 you rather me use, mechanical or computerized?

18 MR. MEZA: How about electronic?

19 Q. Electronically, is that okay?

20 A. That's fine.

21 Q. Is done electronically, is the lead
22 that ultimately goes out, is it done
23 electronically also?

0131

01 A. Yes.

02 Q. Okay. Now, I believe you testified
03 there's going to be two lists and on average
04 it could be significantly more or less but
05 we're just using a number for purposes of an
06 example, 50,000 for that Monday list and
07 50,000 for that Friday list. Is there an
08 individual that reviews that list? That's my
09 first question.

10 A. And when you say review the list,
11 review the actual records on that list, is
12 that your question?

13 Q. Well, actually, no, let me be more
14 specific, review the leads generated, the
15 ultimate product prior to it going out to the
16 third-party vendor.

17 A. No.

18 Q. Is there any reviewing of the
19 records between the time that the permanent
20 Sunrise table has matched its information with
21 CRIS and the leads generated?

22 A. No.

23 Q. Okay. How is the -- how does the

0132

01 outside vendor receive its information? Is it
02 machine to machine? Is it a CD?

03 A. Electronically.

04 Q. That would be machine to machine?

05 A. Yes.
06 Q. Computer to computer?
07 A. Yes.
08 Q. Let's go to page 11, lines one
09 through eight, and we almost addressed this.
10 I just want to clear it up. Lines one through
11 eight, page 11, lines one through eight
12 beginning with next and going only down to --
13 actually one through four, competitor. Can
14 you read that sentence, please?

15 A. "Next, Sunrise eliminates from the
16 temporary table both those orders that do not
17 have disconnect reason codes and those orders
18 that have certain retail inserted disconnect
19 reason codes indicating that the disconnect
20 was for a reason other than a switch to a
21 competitor."

22 Q. Okay. On lines one and two, you
23 refer to that do not have a disconnect reason

0133
01 code. would it be reasonable for me to
02 conclude that if a record had reached the
03 temporary Sunrise table for some reason that
04 did not have a disconnect reason code, that
05 that record would not make it down to the
06 permanent Sunrise table? Is that a fair
07 interpretation of your statement?

08 A. If it did not have a disconnect
09 reason code?

10 Q. For whatever reason.

11 A. It would not make it to the Sunrise
12 table, correct.

13 Q. Thank you. Okay. I think we're
14 almost done. Let's go to page 15, lines 24
15 through 25, and then page 16, lines one
16 through five. Let me just ask you here, is it
17 correct that this is testimony regarding what
18 information is sent from BellSouth to the
19 third-party vendor?

20 A. Where are we again? I'm sorry.

21 Q. You're on page 14, line 24 and 25
22 beginning there.

23 A. Uh-huh.

0134
01 Q. And I'm trying to speed up the
02 process actually. Just look at the testimony
03 beginning on lines 24 and 25 and then page 15,
04 lines one through five. My question is, is
05 that the information that is provided from
06 BellSouth to the third-party vendor?

07 A. Yes.

08 Q. Okay. On page 15, line one, it says
09 account number. Does that account number
10 include the customer code?

11 A. Yes.

12 Q. Okay. Let's turn to page 17, lines
13 nine through 16. I guess the best way here is
14 to just read lines nine through 16 beginning
15 at any time.

16 A. "At any time a transaction occurs it
17 affects an end user's InterLATA or IntraLATA
18 toll service, CARE sends certain data to
19 number one, the acquiring InterLATA or

20 IntraLATA carrier; two, the losing InterLATA
21 or IntraLATA carrier; and three, the end
22 user's local exchange carrier. The first two
23 pieces of data serve to notify the acquiring

0135

01 and losing InterLATA or IntraLATA carriers
02 that a customer has been gained or lost. The
03 third piece of data serves to notify the end
04 user's local exchange carrier that one of its
05 customers has undergone a change in InterLATA
06 or IntraLATA toll carriers."

07 Q. So let me give you an example. At
08 the time of an LPIC where BellSouth is the
09 local service provider and MCI and AT&T are
10 the long distance providers?

11 MR. MEZA: Object to the form. How
12 is that possible?

13 MR. CRUZ-BUSTILLO: Thank you.

14 Q. (By Mr. Cruz-Bustillo) AT&T is the
15 long distance provider; okay?

16 A. Okay.

17 Q. MCI -- the person switches to MCI.
18 MCI is the winner. AT&T is the loser.
19 BellSouth is the underlying carrier. Each of
20 them will receive a notice through CARE; is
21 that correct?

22 A. Yes.

23 Q. Okay. Can any CLEC subscribe to

0136

01 that CARE so they can learn of that switch at
02 that time?

03 A. My knowledge from CARE is that
04 any -- any CLEC can subscribe to CARE and they
05 would receive notice in one of these three
06 instances if they were the acquiring InterLATA
07 or IntraLATA, losing InterLATA or IntraLATA or
08 their customer was -- so in your example, this
09 third one doesn't apply.

10 Q. So in this case given the three
11 parties, BellSouth, AT&T, and MCI, they would
12 all be given notice. My question is could
13 Supra subscribe to CARE to learn of that LPIC
14 change --

15 A. My understanding --

16 Q. -- from that customer in that
17 scenario?

18 A. My understanding of CARE, no.

19 Q. Okay. Let's look at the scenario in
20 which Supra is the LEC. AT&T is the provider.
21 MCI is the new provider, and by the way,
22 there's no partiality to AT&T, MCI. Those are
23 generic names I can think of. That's probably

0137

01 a compliment though. Let's turn to page 17
02 lines 23 to 25 and page 18, one through four.
03 I wanted to know if you could read starting on
04 page 23, and I believe this is my last set of
05 three questions, yeah, I have three questions
06 after that. If you could read me starting on
07 line 23 page 17 down through line four on page
08 18 and then I'll ask my questions.

09 A. "If a Supra local service customer
10 changes his or her PIC or LPIC, CARE notifies

11 the acquiring carrier, the losing carrier, and
12 Supra just as it would in the case of a PIC or
13 LPIC change involving any other LEC's local
14 service customer. In other words, CARE sends
15 records relating to PIC and LPIC changes on
16 every local exchange carrier access lines,
17 including Supra's. As a result, there is no
18 need for BellSouth to buy or to request to buy
19 CARE records relating to Supra's access lines
20 in particular."

21 Q. Okay. So let me give you a
22 scenario. You have -- Supra is the LEC. AT&T
23 is the provider. MCI is the new long distance

0138

01 provider. The customer moves from AT&T to
02 MCI. AT&T is the loser, they're notified.
03 MCI is the winner, they're notified. Supra is
04 the LEC, they're notified. BellSouth manages
05 CARE, they're notified; isn't that true?

06 A. No.

07 Q. ICS is not notified?

08 A. I don't know about ICS. I don't
09 know if ICS is notified.

10 Q. Let's go to page 16, line 17 through
11 20.

12 MR. MEZA: Is this two of three?

13 MR. CRUZ-BUSTILLO: Huh?

14 MR. MEZA: Is this question two of
15 three?

16 MR. CRUZ-BUSTILLO: This must be
17 subparts.

18 Q. (By Mr. Cruz-Bustillo) Lines 17
19 through 20, could you read me that sentence?

20 A. Line.

21 Q. This is your testimony now,
22 Mr. Wolfe.

23 A. On what page? I'm sorry.

0139

01 Q. Page 16.

02 A. Sixteen.

03 Q. Lines 17 through 20.

04 A. "The customer count record exchange
05 or CARE is an industry-wide interface created
06 and managed by BellSouth's Interconnection
07 Services, the inner exchange carriers and
08 local exchange carriers use to communicate."

09 Q. Okay. Is it correct to conclude
10 that BellSouth's Interconnection Services,
11 ICS, known by the acronym of ICS, manages the
12 CARE system?

13 A. That's my understanding, yes.

14 Q. Okay. So now let's go back to your
15 statement on page 18 where you say, "As a
16 result, there is no need for BellSouth to buy
17 or to request to buy CARE records relating to
18 Supra's access lines." Is that because if an
19 LPIC change occurs where BellSouth is not the
20 LEC, ICS learns of such an LPIC change?

21 A. I don't know what LCS gets or
22 doesn't get.

23 Q. What was the basis of your knowledge

0140

01 when you wrote this testimony?

02 A. That they manage CARE. That does
03 not mean that they get or don't get.

04 Q. Okay. Well, if there's no need --
05 let me ask you this one question. If there's
06 no need to buy it, is it because you already
07 have it?

08 MR. MEZA: Objection, form of the
09 question, asked and answered. He's already
10 told you he didn't know.

11 MR. CRUZ-BUSTILLO: I want to make
12 sure that he said he didn't know to this
13 question that I've asked as opposed to another
14 one.

15 Q. (By Mr. Cruz-Bustillo) If you do not
16 have to buy -- you state in your testimony
17 that you do not have to buy or request to buy
18 CARE records relating to Supra's access lines,
19 and you wrote that at the end of a scenario in
20 which Supra is the LEC. Is that because ICS
21 has access to CARE information?

22 A. I don't know.

23 Q. And where did you obtain this

0141 information that -- why did you write this --
02 why did you make this point in your testimony?

03 A. The point is that CARE is subscribed
04 to by carriers.

05 Q. Okay. Does MKIS -- is CARE
06 information -- all information stored in CARE
07 that's managed by ICS flow into Operation
08 Sunrise?

09 A. Repeat that, please.

10 Q. Is all LPIC information stored in
11 CARE flow or is fed into Operation Sunrise?

12 A. Operation Sunrise gets a CARE feed
13 for the 5124 LPIC.

14 Q. And what's 5124?

15 A. That's BellSouth -- BellSouth is
16 LPIC carrier 5124.

17 Q. Those are the only ones that feeds
18 out?

19 MR. MEZA: Object.

20 A. Operation Sunrise, as the losing
21 carrier, gaining carrier, or end user's local
22 carrier will get notice from CARE.

0142
01 (Whereupon, Plaintiff's Exhibit 12
02 was marked for identification and a
03 copy of same is attached hereto.)
04

05 Q. Okay. Let me restate my question.
06 I agree that BellSouth is a losing carrier or
07 as the LEC would get notice in either one of
08 those two categories assuming they were the
09 local toll service provider or the LEC. I'm
10 going to show you here a document 000755 from
11 your manual -- Operation Sunrise manual. I
12 don't know if the right word is produced by
13 you, authored by you. Can you look at that
14 document and tell me if you -- do you
15 recognize that and what do you recognize it to
16 be?

17 MR. MEZA: I'm going to object to
18 this document. It's one of many apparently
19 and it's dated January 10th of 1995 or '6, I
20 can't tell. Off the record for a second.

21
22 (whereupon, a discussion was held
23 off the record.)

0143

01
02 Q. (By Mr. Cruz-Bustillo) The pending
03 question is, but take your time is, do you
04 recognize it and what do you recognize it to
05 be?

06 A. I recognize this document as some
07 manual. Business area operations model is
08 what this page is entitled.

09 Q. Okay. And on the top right-hand
10 corner, the top right -- well, it's actually
11 the box in the middle of the page but on the
12 right side, could you read me what's in that
13 box?

14 A. IntraLATA switcher.

15 Q. And it has an arrow going to another
16 box. Can you tell me what that box says?

17 A. CARE.

18 Q. And then CARE has a line feeding to
19 a big box. Can you tell me what the big box
20 says?

21 A. MKIS Sunrise.

22 Q. Okay. Is it reasonable to conclude
23 that CARE information flows to MKIS sunrise?

0144

01 A. For LPIC 5124, yes.

02 Q. And that would be information that
03 you -- somebody switched away from BellSouth?

04 MR. MEZA: Object to the form.

05 Q. Somebody switched their local toll
06 service from BellSouth?

07 A. Or BellSouth acquired a local toll
08 customer or a customer's -- it's a notice that
09 something has happened to a BellSouth
10 customer.

11 Q. Okay. But isn't the whole purpose
12 of Operation Sunrise to develop leads to
13 target individuals who have switched away from
14 BellSouth?

15 A. For local toll?

16 Q. Yeah, for local toll.

17 A. Yes.

18 Q. Okay. So information flowing down
19 to MKIS sunrise would likely be if you lost
20 the customer?

21 MR. MEZA: Object to the form. It's
22 not what he said, mischaracterize the witness'
23 testimony.

0145

01 MR. CRUZ-BUSTILLO: well, I'm
02 asking. I don't know what he said. I'm
03 asking you, Mr. Wolfe.

04 A. It includes information that we
05 lost. It would also include information that
06 we acquired.

07 Q. Okay. Remember earlier you told me

08 that the permanent Sunrise table will have
09 three groups, local service, product change,
10 and local toll?

11 A. Yes.

12 Q. It would be correct to conclude that
13 the permanent sunrise table for local toll
14 switches would only contain records of people
15 who switched to another local toll provider?

16 A. Yes.

17 Q. Okay. So that way you don't get
18 mixed up with files that were the other
19 groups?

20 A. Right.

21 Q. Okay. There's an arrow there that
22 goes out to an acronym called GIMI. Can you
23 tell me what GIMI stands for?

□0146

01 A. GIMI is a system -- BellSouth
02 system, was at the time of this document
03 anyway.

04 Q. Does it stand for geographic
05 integrated marketing intelligence system?

06 A. Yes.

07 Q. Why would information regarding a
08 loss of a local toll be moved to GIMI?

09 A. I don't know.

10 Q. Okay. There's an arrow that leaves
11 GIMI that goes to RNS. Why would information
12 regarding a loss of local toll be fed directly
13 to RNS?

14 MR. MEZA: Object to the form of the
15 question, and you're talking in relation to
16 1996?

17 MR. CRUZ-BUSTILLO: I am talking in
18 relation to a document that --

19 MR. MEZA: You're referencing your
20 question to the document I guess is what
21 you're saying.

22 MR. CRUZ-BUSTILLO: To the document
23 and today, both.

□0147

01 MR. MEZA: Okay.

02 A. To this -- to the time of this
03 document, there was a feed from GIMI to RNS.
04 I don't know what was in that feed.

05 Q. Would it be reasonable to conclude
06 that it would be information regarding the
07 loss of local toll?

08 A. No.

09 Q. Well, isn't Operation Sunrise
10 designed to identify local toll switchers, one
11 of the objectives?

12 A. That's one of objectives.

13 Q. Okay. So if that big box is MKIS
14 Sunrise, and one of the objectives is to
15 identify local toll, and the first arrow goes
16 to geographic integrated market intelligence
17 system, and the second one goes to RNS, would
18 it be reasonable to conclude that you -- MKIS
19 Sunrise there is notifying RNS of a loss of a
20 local toll?

21 MR. MEZA: Object to the form.

22 A. I don't know what that arrow

23 represents, GIMI to RNS.

0148

01 Q. Okay. Has the -- this system was --
02 if it's part of Operation Sunrise, this system
03 was authored by you; is that correct?

04 A. GIMI?

05 Q. No, this -- the flow of information.

06 A. Okay. Ask your question again. I'm
07 sorry.

08 Q. Did you author the -- did you author
09 the document?

10 A. No.

11 Q. Do you have personal knowledge
12 whether or not LPIC information regarding
13 loss -- toll loss is still provided to GIMI?

14 A. I don't know.

15 Q. Do you know if it's still provided
16 to RNS?

17 A. Okay. I'm sorry. I'm sorry. Step
18 back. Your previous question was?

19 Q. GIMI.

20 A. Does data flow from MKIS Operation
21 Sunrise to GIMI?

22 Q. GIMI, I'm sorry.

23 A. No, it does not.

0149

01 Q. How do you know this?

02 A. Because today in 2003, Operation
03 Sunrise does not send anything to GIMI.

04 Q. At what point did it stop sending
05 information to GIMI?

06 MR. MEZA: I object to the form of
07 the question, assumes facts not in evidence.

08 A. I don't know.

09 MR. CRUZ-BUSTILLO: well, he just
10 said we don't do it anymore.

11 MR. MEZA: He said he didn't know if
12 it ever connected to GIMI.

13 MR. CRUZ-BUSTILLO: No; no; no.

14 MR. MEZA: That's what he said.

15 Q. (By Mr. Cruz-Bustillo) Mr. wolfe,
16 did you just say that today it doesn't flow to
17 GIMI?

18 A. Yes.

19 Q. Okay. That's why I asked you if you
20 know for certain that today it doesn't flow to
21 GIMI. At what point did it stop flowing to
22 GIMI?

23 MR. MEZA: And I raise my same

0150

01 objection, mischaracterizing his testimony.

02 MR. CRUZ-BUSTILLO: No, that doesn't
03 mischaracterize it. Go, Mr. wolfe.

04 A. I don't know.

05 Q. But you're certain today it doesn't
06 flow to GIMI?

07 A. Yes.

08 Q. And why are you certain today that
09 it doesn't flow to GIMI?

10 A. Because we don't send it to GIMI.

11 MR. CRUZ-BUSTILLO: Okay. I have
12 nothing further.

13 MR. MEZA: we're going to break for

14 lunch before I do redirect because I'm sure
15 that you're going to have additional questions
16 after my redirect.

17 MR. CRUZ-BUSTILLO: No, not really.

18
19 (whereupon, there was a lunch recess
20 taken from 12:07 p.m. to 1:01 p.m.)

21
22 MR. MEZA: Staff.

23 MS. DODSON: Yes.

□0151

01 MR. MEZA: Jim Meza, I'm about to
02 start my redirect, and for planning purposes,
03 we were wondering if you guys had any
04 questions at this time.

05 MS. DODSON: Yes, we do.

06 (Begin confidential.)

07

08 EXAMINATION BY MR. MEZA:

09 Q. Mr. Wolfe, I'm going to show you
10 documents that Mr. Cruz-Bustillo marked as
11 Exhibits 1, 2, and 3. As we noted during your
12 examination they all bear dates of January
13 10th, 1996. Do you remember these documents?

14 A. Yes.

15 Q. Do you know when BellSouth began
16 local service winback activities through
17 Sunrise?

18 A. Yes, that was April -- March and
19 April 2001.

20 Q. So at the time these documents were
21 written, Exhibits 1, 2, and 3, BellSouth was
22 not engaging in local service winback; is that
23 correct?

□0152

01 A. That's correct.

02 Q. Now, do you know if the processes
03 described or outlined in Exhibits 1, 2, and 3
04 in 1996, do you know if they were changed from
05 1996 until 2001?

06 A. Yes.

07 Q. Okay. And do you know for a fact
08 whether any of the processes described in
09 Exhibits 1, 2, and 3 were implemented by
10 BellSouth in Operation Sunrise?

11 A. No.

12 Q. Now, you said that BellSouth began
13 local service winback in Sunrise in April --
14 in March or April 2001 or April or May of
15 2001?

16 A. It was March/April 2001.

17 Q. And has BellSouth ever suspended
18 winback activities in 2001 or did BellSouth
19 suspend winback activities in 2001?

20 A. Yes.

21 Q. And what time period was that for?

22 A. The time period of the suspension
23 was April 20th -- after April 20th, 2001,

□0153

01 through August 2001.

02 Q. And during that time period,
03 BellSouth did not engage in any marketing
04 winback activities, is that correct, relating

05 to Operation Sunrise?

06 A. For local service, that's correct.

07 Q. Okay. Now, do you remember
08 Mr. Cruz-Bustillo talking to you about
09 disconnect reason codes and when they are
10 stripped or if they are stripped in the
11 sunrise processes. Do you remember that?

12 A. Yes.

13 Q. After August of 2001, does the
14 byproduct of Sunrise, and when I use the
15 phrase byproduct, that's the resulting product
16 through the process, are there disconnect
17 reason codes attached to the leads that are
18 generated in Sunrise in the byproduct?

19 MR. CRUZ-BUSTILLO: Objection to
20 form.

21 A. No.

22 Q. And is it -- do the disconnect
23 reason codes get stripped at some point in the
0154 process prior to the byproduct being created?

02 A. Yes.

03 Q. Okay. And in what stage do the
04 disconnect reason codes get stripped?

05 A. They get stripped between the
06 temporary table and the end byproduct I think
07 you said of the permanent table.

08 Q. Do you remember Mr. Cruz-Bustillo
09 talking to you about the customer code?

10 A. Yes.

11 Q. Do you know why BellSouth uses a
12 customer code?

13 A. To identify their customers.

14 Q. Do you know why BellSouth uses it as
15 part of Sunrise?

16 A. With that code we can identify our
17 former local service customers and for the
18 purpose of getting their information before
19 they left.

20 Q. Mr. Cruz-Bustillo was asking you a
21 series of questions relating to whether or not
22 you knew when the customer service or the
23 customer order -- excuse me, the customer code
0155

01 is placed on the service order. Do you
02 remember that?

03 A. Yes.

04 Q. Do you know when in the OSS process
05 that occurs?

06 A. No.

07 Q. Who would know to the best of your
08 knowledge?

09 A. The best of my knowledge. that would
10 be Mr. Pate.

11 Q. Is there anything in the customer
12 code that indicates to BellSouth where the
13 former BellSouth customer went as far as
14 carrier, a new carrier?

15 A. No.

16 Q. Is there anything in the customer
17 code that establishes or indicates what
18 services that customer is receiving from its
19 new carrier?

20 A. No.
21 (Begin confidential.)
22 Q. Let's talk about Exhibit 7 that
23 Mr. Cruz-Bustillo had you look at, and

0156
01 specifically the paragraph entitled weekly
02 lead generating procedures for Sunrise. This
03 is confidential by the way. Do you remember
04 that?

05 A. Yes.
06 MR. CRUZ-BUSTILLO: What exhibit is
07 that, what number?

08 MR. MEZA: Seven.
09 MR. CRUZ-BUSTILLO: Okay.
10 Q. (By Mr. Meza) Now, I believe this --
11 do you know when this document was dated?
12 It's DAN-8. It's from DAN-8.

13 A. I'm not sure that exact date.
14 MR. MEZA: Can I have DAN-8,
15 Mr. Nilson? Do you have DAN-8?
16 MR. CRUZ-BUSTILLO: I don't think he
17 does but I'll stipulate DAN-8 is the 2000
18 version, subject to check for you to verify,
19 but I'm sure it's 2000.

20 MR. MEZA: Okay. That's fair.
21 Q. (By Mr. Meza) Is there any portion
22 of this paragraph that relates to local
23 service reacquisition efforts?

0157
01 A. Not directly, no.
02 Q. And in 2000, the year 2000,
03 BellSouth was not engaged in local service
04 reacquisition efforts through Sunrise; is that
05 correct?

06 A. That's correct.
07 Q. Now, do you see a reference to CAR
08 and CARE in that paragraph?

09 A. Yes.
10 Q. Does BellSouth use CAR information
11 in Florida?

12 A. No, it's never been available in
13 Florida.
14 Q. All right. And does BellSouth use
15 CARE information in relation to local service
16 reacquisition efforts?

17 A. No.
18 Q. What does BellSouth use CARE for?
19 A. CARE is for local toll winback.
20 Q. Thank you. Do you remember
21 Mr. Cruz-Bustillo talking to you about what is
22 the criteria for filtering disconnect reason
23 codes. and I believe you stated it was --

0158
01 excuse me, let me strike that and
02 recharacterize the question. Mr. Cruz-
03 Bustillo asked you what criteria or what
04 information is excluded, is filtered, and you
05 said disconnect reason codes.

06 MR. CRUZ-BUSTILLO: Objection to
07 form.

08 Q. And then he asked you was there
09 anything else that you know that is stripped.
10 Do you remember that?

11 A. Yes.
12 Q. Okay. Is the OCN ever part of
13 sunrise, and do you know what I mean by OCN?
14 A. No, I don't.
15 Q. Okay. What about the order type,
16 does the order type ever get in from SOCS into
17 the Harmonize database?
18 A. The order type?
19 Q. Yes.
20 A. From SOCS to the Harmonize database,
21 yes, it does.
22 Q. Is that information stripped before
23 it gets to the permanent table?

□0159

01 A. Yes.
02 Q. The services that the customer is
03 receiving from the CLEC, does that ever enter
04 into the Harmonize database from SOCS whether
05 it's UNE-P or RESA?
06 A. The services that the CLEC has?
07 Q. Yes.
08 A. Make it into SOCS?
09 Q. Yes.
10 A. I don't know.
11 Q. Is that information ever part of the
12 sunrise tables --
13 A. No.
14 Q. -- permanent or temporary?
15 A. No.
16 Q. Mr. Cruz-Bustillo was asking you a
17 series of questions relating to the JL code.
18 Do you remember that?
19 A. Yes.
20 Q. And I think your testimony was that
21 prior to August of 2001 the JL code was on the
22 permanent table; is that correct?
23 A. Yes.

□0160

01 Q. Okay. Since August of 2001, are any
02 codes on the permanent table disconnect reason
03 codes in a permanent table in sunrise?
04 MR. CRUZ-BUSTILLO: Objection to
05 form.
06 A. Are any now on it?
07 Q. Yeah, since August of 2001.
08 A. No -- no competitive disconnect
09 codes.
10 Q. Okay.
11 A. Disconnect reason codes.
12 Q. Mr. Cruz-Bustillo asked you a series
13 of questions relating to whether or not you
14 knew Mr. Wolfe, that all information in the
15 sunrise byproduct essentially came from CLEC
16 submitted LSR. Do you remember that?
17 A. Yes.
18 Q. Do you know for a fact whether every
19 single lead in the sunrise byproduct or end
20 product resulted from a CLEC initiated
21 disconnect or a CLEC initiated LSR?
22 MR. CRUZ-BUSTILLO: Objection to
23 form. Are you talking about the information

□0161

01 that ends up in the permanent sunrise table?

02 MR. MEZA: Yes.
03 MR. CRUZ-BUSTILLO: Okay.
04 A. Okay. Say that again. I'm sorry.
05 Q. Do you know for a fact that every
06 single lead --
07 MR. CRUZ-BUSTILLO: Objection to
08 form. What do you mean by fact?
09 Q. Do you know for a fact -- do you
10 know for a fact that every single lead that
11 comes out of Sunrise is the result of a CLEC
12 initiated LSR?
13 A. That is not true.
14 Q. Okay. Well, I guess that's the
15 answer to my question but let me try it again.
16 Do you know for a fact that every single lead
17 that results from Sunrise came from a CLEC
18 LSR?
19 A. I know that not every lead came from
20 a CLEC LSR.
21 Q. And how do you know that?
22 A. Because the list of codes that I've
23 been given to exclude or eliminate order

0162

01 information is just a partial list of all the
02 disconnect reason codes.
03 Q. Now, do you remember Mr. Cruz-
04 Bustillo talking to you about how many fields
05 are in the permanent table?
06 A. Yes.
07 Q. And you agreed with him that there
08 were more than five fields on the permanent
09 table. Do you remember that?
10 A. Yes.
11 Q. How many of those fields that are in
12 the permanent table are populated with
13 information from a disconnect order?
14 A. In this process, five.
15 Q. Okay. And which five fields are
16 populated in the permanent table from a
17 disconnect order?
18 A. In this process it's NPA, NXX, line,
19 customer code, and the date -- the order date.
20 Q. And that's the exact same
21 information that you listed in your testimony;
22 is that correct?
23 A. Yes.

0163

01 Q. Now, what information populates the
02 remaining fields in the permanent table?
03 A. It's the information in the SIW, the
04 former customer information -- the former
05 customer's information when he was last a
06 BellSouth customer.
07 Q. So that's the CRIS records?
08 A. They're from CRIS, yes.
09 Q. And it's BellSouth's records of that
10 customer when he was a BellSouth customer?
11 A. Yes.
12 Q. Let's talk about CARE and LPIC and
13 Mr. Cruz-Bustillo's questions to you relating
14 to what information BellSouth gets. It would
15 probably be better to use his own
16 hypotheticals. If BellSouth is not the local

17 exchange carrier, the acquiring toll provider,
18 or the losing toll provider, does BellSouth
19 marketing, and in particular MKIS, get any
20 carrier information relating to that
21 transaction?

22 A. No.

23 Q. In that same situation, when

00164

01 BellSouth is not part of the equation and it's
02 a Supra customer switching from AT&T to MCI
03 long distance, does Interconnection Services
04 provide any information to BellSouth from CARE
05 relating to that transaction? I mean -- let
06 me rephrase.

07 MR. CRUZ-BUSTILLO: Objection to
08 form.

09 Q. Any information from Interconnection
10 Services to marketing relating to that
11 transaction.

12 MR. CRUZ-BUSTILLO: Objection to
13 form.

14 A. No.

15 Q. Do we care -- does BellSouth care if
16 a Supra customer changes their toll provider?

17 A. No.

18 Q. Now, Mr. Cruz-Bustillo asked you a
19 series of questions relating to whether or not
20 you wrote certain testimony or meant what you
21 said relating to -- mine is a specific
22 reference -- page 18, lines two to four
23 relating to why BellSouth does not need to buy

00165

01 or request CARE records relating to Supra's
02 access lines. Can you explain why BellSouth
03 does not need that information?

04 A. BellSouth doesn't need it because
05 one case -- we're not involved in the
06 transaction with Supra so we don't need to do
07 it there. We don't need it because BellSouth
08 subscribes to this information just like any
09 other carrier so there's no reason to buy it.
10 We subscribe and it's --

11 Q. And again, let's -- let's make it
12 clear that if BellSouth is not part of one of
13 those three entities involved in the
14 transaction, does marketing get any CARE
15 records?

16 A. No.

17 (Begin confidential.)

18 Q. Let's talk about Exhibit 12 and it's
19 the 1996 chart I guess, flow-through chart,
20 relating to CARE that Mr. Cruz-Bustillo went
21 through. This is confidential again. Do you
22 know if MKIS has ever provided directly or
23 indirectly any information relating LPIC to

00166

01 RNS?

02 A. I don't know that BellSouth has ever
03 done that, a part of Sunrise or anything else.

04 Q. As far as your being associated with
05 Sunrise, has there ever been a direct or
06 indirect provision of information from MKIS to
07 RNS?

08 A. Not that I'm aware of, no.
09 Q. Okay. Now, Mr. Cruz-Bustillo was
10 referring to the GIMI or GIMI, I don't know
11 what you call it, program I guess.
12 A. System.
13 Q. System. Do you know if GIMI was
14 ever implemented by BellSouth?
15 A. There was a system named GIMI.
16 Q. Okay. Do you know if GIMI was ever
17 associated or hooked up to Sunrise?
18 A. I'm not aware that it ever was.
19 Q. Okay. Do you know if GIMI is still
20 in existence today?
21 A. I don't know.
22 MR. MEZA: No further questions.
23 MR. CRUZ-BUSTILLO: I have no

00167

01 redirect for the record.
02 MS. DODSON: Staff has a few
03 questions.
04
05 EXAMINATION BY MS. DODSON:
06 Q. In response to interrogatory number
07 one of Supra's first set of interrogatories,
08 you stated that there is no specific
09 disconnect reason code that identifies when a
10 retail customer is changing local service
11 providers. Can you tell me how many
12 disconnect reason codes BellSouth has?
13 MR. MEZA: She's asking you a
14 question.
15 A. I don't know for sure. It's 40 or
16 so but I don't have the whole list in front of
17 me.
18 Q. Is that list publicly available?
19 A. I think so.
20 Q. Do you know where it would be
21 available?
22 A. Yes.
23 Q. Can you tell us where that would be?

00168

01 A. The list that I have seen is in
02 BellSouth intranet application, I guess you'd
03 call, called Orbit.
04 Q. Okay. Can you make that list
05 available to us?
06 MR. MEZA: Sure.
07 A. Sure.
08 MR. CRUZ-BUSTILLO: Will you provide
09 it to me too?
10 MR. MEZA: Sure.
11 Q. (By Ms. Dodson) And that list
12 contains a description of all the disconnect
13 reason codes?
14 MR. MEZA: I will tell you that the
15 list does not describe all the disconnect
16 reason codes. I don't know if there is a
17 document that describes all of the disconnect
18 reason codes but we will give you what we
19 currently have.
20 MS. DODSON: Okay.
21 Q. (By Ms. Dodson) Can you identify all
22 of the reason codes which direct the account

23 into the final permanent Sunrise database?

0169

01 A. Can I identify all the disconnect
02 reason codes that -- I'm sorry, ask it again.
03 I should let you -- please ask again.

04 Q. Okay. Can you identify the reason
05 codes that direct the accounts to go into the
06 final permanent Sunrise database?

07 MR. MEZA: Linda, when you mean
08 account, can you be a little more specific?

09 MS. DODSON: Records.

10 A. You're asking me if I can identify.
11 Are you talking about while the process is
12 happening or now as I sit here talking to you?

13 Q. Which codes are used that direct
14 certain records to go into the final permanent
15 Sunrise database?

16 A. The process is that I've been given
17 a list of records -- of disconnect reason
18 codes to eliminate and so I use those codes --
19 I eliminate those codes, and then whatever is
20 left would be records that once I strip off
21 the disconnect reason code so I don't know
22 what they are are loaded to the permanent
23 table.

0170

01 Q. Yes, okay.

02 A. Did I answer your question?

03 Q. Yes.

04 MS. DODSON: Can we get a list of
05 the ones that are stripped off?

06 MR. MEZA: Yes.

07 THE WITNESS: Yes.

08 Q. (By Ms. Dodson) On page 12 of your
09 rebuttal testimony --

10 MR. CRUZ-BUSTILLO: Linda, what
11 page?

12 Q. Oh, on page 12 that's the flow chart
13 of your rebuttal testimony.

14 A. Yes.

15 Q. The flow chart depicting information
16 flow from SOCS through the third-party
17 vendors. Can you please state whether the
18 Sunrise database which is located in the
19 strategic information warehouse and being
20 transmitted to the third-party vendors has any
21 direct or indirect link into CRIS or LIN?

22 A. It does not have a link to either of
23 those places.

0171

01 Q. You stated earlier that when
02 BellSouth wins a customer back, BellSouth uses
03 a D on disconnect orders and an A on -- I'm
04 sorry, and an N on new orders. Why doesn't
05 BellSouth just use a C for a change order?

06 A. To my understanding, the single C
07 order is available only for the inter-
08 connection, the BellSouth OSS or LCSC. It's
09 not available to anyone else.

10 Q. So it's only available for CLECS
11 then; is that correct?

12 A. That single C order is to my
13 knowledge used only by the BellSouth OSS

14 and/or LCSC in processing CLEC disconnect
15 orders.

16 MR. MEZA: Linda, this is Jim. I
17 don't mean to supplement the testimony but I
18 believe Mr. Wolfe's knowledge doesn't allow
19 him to answer your question. I will -- I
20 will -- you can address it to Mr. Pate if you
21 like or I have can have him file a late filed
22 statement or something or you could ask him at
23 the hearing.

□0172

01 MR. CRUZ-BUSTILLO: I actually think
02 that -- just to jump in, I think he already
03 addresses it in his rebuttal testimony on
04 pages 33 and 34, so you don't have to really
05 supplement, because I'd object because I'd
06 want to be able to respond.

07 MR. MEZA: Right, I understand.

08 MR. CRUZ-BUSTILLO: But I think it's
09 on pages 33 and 34 of his rebuttal testimony.

10 MS. DODSON: Okay. If we have any
11 additional questions, we'll ask them at
12 hearing.

13 MR. MEZA: Okay.

14 MS. DODSON: And that concludes the
15 questions that we have.

16 MR. MEZA: And I will get you the
17 information you requested by Tuesday, is that
18 okay, at the latest?

19 MR. CRUZ-BUSTILLO: Okay.

20 MS. DODSON: Thank you. I have a
21 question now.

22 (Whereupon, a discussion was held
23 off the record.)

□0173

01
02 (Whereupon, the deposition was
03 concluded at 1:25 p.m.)

04
05 FURTHER DEPONENT SAITH NOT
06
07
08
09
10
11
12
13
14
15
16
17
18
19
20
21
22
23

0001

01 BEFORE THE FLORIDA PUBLIC SERVICE COMMISSION
02 DOCKET NO.: 0303049-TP
03 FILED AUGUST 19, 2003
04
05 IN RE: COMPLAINT BY
06 SUPRA TELECOMMUNICATIONS
07 AND INFORMATION SYSTEMS,
08 INC., REGARDING BELLSOUTH'S
09 ALLEGED USE OF CARRIER
10 TO CARRIER INFORMATION

11

12 DEPOSITION TESTIMONY OF:
13 EDWARD WOLFE
14 August 22, 2003
15 8:15 a.m.

16

17 STIPULATION

18 IT IS STIPULATED AND AGREED by and
19 between the parties through their respective
20 counsel that the deposition of EDWARD WOLFE
21 may be taken before SHERI G. CONNELLY,
22 Commissioner, at The Tutwiler, A Wyndham Grand
23 Heritage Hotel, 2021 Park Place North,

0002

01 Birmingham, Alabama 35203, on the 22nd day of
02 August, 2003.

03 IT IS FURTHER STIPULATED AND AGREED
04 that the signature to and the reading of the
05 deposition by the witness is waived, the
06 deposition to have the same force and effect
07 as if full compliance had been had with all
08 laws and rules of proceedings relating to the
09 taking of depositions.

10 IT IS FURTHER STIPULATED AND AGREED
11 that it shall not be necessary for any
12 objections to be made by counsel to any
13 questions, except as to form or leading
14 questions, and that counsel for the parties
15 may make objections and assign grounds at the
16 time of the hearing, or at the time said
17 deposition is offered in evidence, or prior
18 thereto.

19 IT IS FURTHER STIPULATED AND AGREED
20 that the notice of filing of the deposition by
21 the Commissioner is waived.

22

23

0003

01

INDEX

02

03 EXAMINATION BY: PAGE NUMBER:
04 MR. CRUZ-BUSTILLO 7
05 MR. MEZA 151
06 MS. DODSON 167

07

08 EXHIBITS: PAGE NUMBER:
09 Petitioner's Exhibits:
10 Exhibit 1 20
11 Exhibit 2 21
12 Exhibit 3 24
13 Exhibit 4 28

14	Exhibit 5	31
15	Exhibit 6	45
16	Exhibit 7	69
17	Exhibit 8	116
18	Exhibit 9	113
19	Exhibit 10	121
20	Exhibit 11	121
21	Exhibit 12	142

0004

APPEARANCES

01
02
03 FOR THE PETITIONER, SUPRA TELECOMMUNICATIONS
04 AND INFORMATION SYSTEMS, INC.:
05 Jorge L. Cruz-Bustillo
06 Assistant General Counsel
07 Supra Telecom
08 2620 Southwest 28th Avenue
09 Miami, Florida 33133-3005
10 305.476.4252
11
12 FOR THE RESPONDENT, BELLSOUTH
13 TELECOMMUNICATIONS, INC.:
14 James Meza, III
15 BellSouth Telecommunications, Inc.
16 Museum Tower Building
17 Suite 1910
18 150 West Flagler Street
19 Miami, Florida 33130
20 305.347.5561
21
22 Matthew T. Brown
23 Jones, Walker, Waechter,

0005

01 Poitevent, Carrere & Denegre, LLP
02 201 Saint Charles Avenue
03 New Orleans, Louisiana 70170
04 504.582.8000
05
06 FLORIDA PUBLIC SERVICE COMMISSION
07 (Via telephone):
08 Linda H. Dodson
09 Levent Ileri
10 Jerry Hallenstein
11 Robert Casey
12 Jacqueline Gilchrist
13 Florida Public Service Commission
14 2540 Shumard Oak Boulevard
15 Tallahassee, Florida 32399-0850
16 850.413.6100
17

ALSO PRESENT:

David A. Nilson

I, SHERI G. CONNELLY, a Court

0006

01 Reporter of Birmingham, Alabama, acting as
02 Commissioner, certify that on this date, as
03 provided by the applicable rules of procedure
04 and the foregoing stipulation of counsel,

05 there came before me at The Tutwiler, A
06 Wyndham Grand Heritage Hotel, 2021 Park Place
07 North, Birmingham, Alabama 35203, beginning at
08 8:15 a.m., EDWARD WOLFE, witness in the above
09 cause, for oral examination, whereupon the
10 following proceedings were had:

11
12 MR. CRUZ-BUSTILLO: Good morning,
13 everybody.

14 MS. DODSON: Good morning.
15 MR. CRUZ-BUSTILLO: Here we have
16 myself on behalf of Supra and Dave Nilson and
17 on behalf of BellSouth.

18 MR. MEZA: Jim Meza, Ed wolfe, the
19 deponent, and sitting in with me is my outside
20 counsel, Matt Brown.

21 MS. DODSON: And here we have Linda
22 Dodson.

23 MR. CASEY: Bob Casey.

00007

01 MR. HALLENSTEIN: Jerry Hallenstein.

02 MS. GILCHRIST: Jackie Gilchrist.

03 MR. ILERI: Levent Ileri.

04 MR. MEZA: Levent, can you say your
05 name again?

06 MR. ILERI: Levent, L-E-V-E-N-T, and
07 the last is Ileri, I-L-E-R-I.

08 MR. MEZA: Thank you. Usual
09 stipulations?

10 MR. CRUZ-BUSTILLO: Yeah, do you
11 want to go ahead and tell everybody what that
12 is.

13 MR. MEZA: I think we've done it now
14 for four times so if they don't know what it
15 is, we're in trouble.

16
17 EDWARD WOLFE,
18 being first duly sworn, was
19 examined and testified as follows:

20
21 EXAMINATION BY MR. CRUZ-BUSTILLO:

22 Q. Could you please state your name for
23 the record and spell your last name?

00008

01 A. Edward wolfe, W-O-L-F-E.

02 Q. And Mr. wolfe, where are you
03 currently employed?

04 A. I'm employed at BellSouth
05 Technologies Group, Birmingham, Alabama.

06 Q. In what capacity are you employed?

07 A. I'm the MKIS marketing operations
08 manager for -- let me read it from my --
09 marketing operations manager in MKIS delivery
10 organization.

11 Q. And how long have you been in this
12 position?

13 A. Been in this position for
14 approximately seven years.

15 Q. When Michelle Summers was director
16 of MKIS, were you above her or below her in
17 the chain of command?

18 A. Below.

19 Q. Okay. Would you consider yourself

20 in the same capacity as she was now in MKIS?
21 A. Help me understand what you mean by
22 that.
23 Q. Are you the senior individual within

0009

01 your MKIS group?
02 A. No.
03 Q. Okay. And who is that?
04 A. Are you asking for my supervisor?
05 Q. Yes.
06 A. David Fitts.
07 Q. And who is the senior individual
08 before the MKIS group?
09 A. The senior director is Joe Borosh,
10 B-O-R-O-S-H.
11 Q. Could you tell me what your duties
12 are in your present position?
13 A. I manage the group that oversees
14 generation of most of the marketing lead lists
15 for the consumer or residential business unit.
16 Q. Did you say consumer or residential
17 what?
18 A. Business unit.
19 Q. Does that mean that you generate
20 leads for both residential and business?
21 A. No, residential only.
22 Q. Any particular reason why the title
23 is consumer residential and business unit?

0010

01 A. That's terminology that we've used
02 at BellSouth for some time.
03 Q. Could you refer to page two of your
04 testimony lines -- I'm sorry, it's actually
05 page three -- no, it's page four, excuse me,
06 page four, lines 19 and 20. Could you read me
07 the first sentence on lines 19 and 20?
08 A. "When an end user's local service is
09 disconnected from BellSouth for any reason, a
10 disconnect or change order is generated."
11 Q. Can you tell me which order is
12 generated when the order originates from a
13 CLEC LSR?
14 A. What do you mean by which order?
15 Q. Well, are you aware that CLEC LSRs
16 for conversions create a change order and not
17 a disconnect order?
18 MR. MEZA: Object to the form.
19 A. What I know is that if a customer
20 disconnects from BellSouth for any reason,
21 then a disconnect order is generated or a
22 change order is generated.
23 Q. Okay. I'm going to ask you to read

0011

01 two sentences from Mr. Pate's rebuttal
02 testimony, page 33, lines 14 through 18,
03 starting with the word before.
04 MR. MEZA: You're asking him to read
05 testimony provided by another witness?
06 MR. CRUZ-BUSTILLO: That's correct.
07 MR. MEZA: And you're going to ask
08 him questions about what another witness said?
09 MR. CRUZ-BUSTILLO: I'm going to ask
10 him to read it first.

11 A. Lines 14 to 18?
 12 Q. Uh-huh, beginning with the word
 13 before.
 14 A. "Before BellSouth implemented single
 15 C for UNE-P, two orders, new and disconnect
 16 orders, also known as N and D orders, were
 17 required to execute the local service request.
 18 The benefit of single C is that it eliminates
 19 the need for two internal BellSouth orders and
 20 associated coordination."

21 Q. Given that statement by Mr. Pate,
 22 wouldn't you agree that with respect to your
 23 testimony on page four, lines 19 and 20, that

0012

01 when you refer to a change order, that is an
 02 order that has its originations from a CLEC
 03 LSR?

04 MR. BROWN: Object to the form to
 05 the extent you're asking him to opine about
 06 Mr. Pate -- what Mr. Pate said.

07 MR. CRUZ-BUSTILLO: Go ahead and
 08 answer the question.

09 A. Repeat the question, please.

10 Q. Given the statement that you just
 11 read, wouldn't you agree that when you look at
 12 your testimony on page four, lines 19 and 20,
 13 that when you refer to a change order, you are
 14 referring to an order that originates from a
 15 CLEC LSR?

16 MR. MEZA: Same objection.

17 A. I know that a C order is a change
 18 order, and so this disconnect or change order,
 19 that's what it is, a disconnect or a change
 20 order.

21 Q. Okay.

22 A. Did I answer your question?

23 Q. No; no.

0013

01 A. Okay.

02 Q. Let me try again, okay. On page
 03 four, lines 19 through 20, your testimony that
 04 you prepared says that "when an end user's
 05 local service is disconnected from BellSouth
 06 for any reason, a disconnect" order, I'm
 07 adding the word order, or "change order is
 08 generated." My question is that when you
 09 refer to a change order, are you referring to
 10 an order that has its origins from a CLEC LSR?

11 A. I'm referring to a single C order as
 12 a change order.

13 Q. And single C orders are used to
 14 process CLEC LSRs; is that correct?

15 A. I don't know about that.

16 Q. Okay. Did you prepare your
 17 testimony?

18 A. Yes.

19 Q. Did you write the sentence on page
 20 four, lines 19 through 20?

21 A. Yes.

22 Q. Were you aware at the time that you
 23 wrote your testimony that a change order is an

0014

01 order that originates from a CLEC LSR?

02 MR. BROWN: Object to the form.

03 A. I was aware that there was such a
04 thing as an LSR. I don't know exactly what it
05 is.

06 Q. Okay. Let me ask a different
07 question. Are you aware that a change order
08 has its origins from a CLEC service order?

09 A. Are you referring to the single C
10 order change order?

11 Q. That's correct.

12 A. What I know is it has its origins in
13 the LCSC or the BellSouth operational support
14 systems, the OSS, and I know that CLECs, one
15 way -- one way that they initiate this process
16 is by local service request, LSRs. That's
17 what I know.

18 Q. Are you aware that retail --
19 BellSouth retail customer service
20 representatives when they process a winback
21 create an N order and a D order?

22 A. Bringing a customer -- a D order to
23 disconnect a customer from someone else and a
0015

01 N order to bring them back to BellSouth, is
02 that your question?

03 Q. No. My question is when a retail
04 customer service rep creates a service order
05 through RNS, that that order automatically
06 creates a D and an N to bring that customer
07 back. were you aware of that?

08 A. I know -- to bring that customer
09 back to BellSouth?

10 Q. To process the order, yes, were you
11 aware of that?

12 A. The customer service rep will do a D
13 order to disconnect and an N order to bring
14 that customer back, yes.

15 Q. Are you aware that the retail
16 service rep service order will not generate a
17 single C?

18 A. Yes.

19 Q. Thank you. Let's turn to page five
20 of your testimony, lines four through six.
21 Can you read lines four through six into the
22 record ending with the word manually?

23 A. "For an LSR sent by a CLEC, a
0016
01 disconnect or change order and the appropriate
02 disconnect reason code are generated
03 electronically by BellSouth's OSS or generated
04 by the LCSC if the CLEC has sent the LSR
05 manually."

06 Q. My question is that when you refer
07 to it's electronically generated by OSS, is
08 that LESOG?

09 A. I don't know.

10 Q. Would Mr. Pate be the person that
11 would have the most knowledge on that issue?

12 A. Yes.

13 Q. How about the code that's
14 electronically generated at the LCSC, is that
15 the JL code?

16 A. No.

17 Q. well, what code does the LCSC --
18 what disconnect reason code does the LCSC
19 generate if the LSR is submitted manually?
20 A. I know of two that could possibly
21 come from the LCSC.
22 Q. Can you tell me what they are?
23 A. One is BR, BellSouth to resale; one

00017

01 is BC, BellSouth to facility base.
02 Q. You're saying those are generated by
03 who, the LCSC?
04 A. I know those are generated by the
05 LCSC or someone in operation support systems.
06 Q. would BC include UNE-P?
07 MR. BROWN: Object to the form.
08 There's no such thing as BC.
09 MR. CRUZ-BUSTILLO: Oh, I'm sorry.
10 I thought he said BR and BC. Let's read back
11 what he said, please.
12 THE WITNESS: I said BC. I said BC.
13 It is BC.
14 MR. CRUZ-BUSTILLO: He did say BC.
15 Thank you.
16 Q. (By Mr. Cruz-Bustillo) And does BC
17 include UNE-P?
18 A. I don't know.
19 Q. What is the purpose of generating
20 disconnect reason codes?
21 A. My understanding is that it tells
22 why the disconnection occurred.
23 Q. okay. Could -- is disconnect reason

00018

01 code and transaction code used
02 interchangeably?
03 A. No.
04 (Begin confidential.)
05 Q. Let me show you an exhibit and how
06 we're going to do this now is when I show the
07 exhibit, this part of the record and the
08 questions will be considered confidential.
09 MR. MEZA: And the exhibit will be
10 sealed.
11 MR. CRUZ-BUSTILLO: And the exhibit
12 will be sealed.
13 Q. (By Mr. Cruz-Bustillo) I'm going to
14 show you what's been marked as exhibit DAN-7,
15 and it's Bates stamped 000760, and I'm going
16 to ask you to read this middle paragraph here,
17 okay, but let me show it to your counsel
18 first.
19 MR. MEZA: I want to object to this
20 document on the grounds that it's one page out
21 of apparently many in the exhibit, and note
22 for the record it's dated January 10, 1996.
23 He wants you to read this paragraph reading

00019

01 operational method?
02 MR. CRUZ-BUSTILLO: No, it's the one
03 right after that. And before you read it, let
04 me just say that it's that big one, I hope you
05 didn't want me to bring the big one.
06 MR. MEZA: I just want to lodge
07 my --

08 MR. CRUZ-BUSTILLO: And you can
09 bring it into context at the hearing and say,
10 look at all these 8,000 pages, you need to
11 look at that page, okay.

12 Q. (By Mr. Cruz-Bustillo) Please read
13 the middle paragraph.

14 A. "A separate nightly extract from the
15 SOCS table is loaded into the SIW by Project
16 Harmonize. This SIW table is screened for
17 local service switchers via transaction codes
18 and local switchers are loaded into the
19 Sunrise Database."

20 Q. Okay. Will you hand that back here?

21 MR. MEZA: Are you marking that as
22 Exhibit 1?

23 MR. CRUZ-BUSTILLO: Okay. We're
00020
01 marking it as Exhibit 1, please.

02
03 (Whereupon, Plaintiff's Exhibit 1
04 was marked for identification and a
05 copy of same is attached hereto.)
06

07 Q. (By Mr. Cruz-Bustillo) I want you to
08 now -- I want you to read this middle
09 paragraph here, and this document is Bates
10 stamped 000761, which actually follows the
11 page that Mr. Wolfe just read but let me show
12 it to your counsel first.

13 MR. MEZA: What paragraph do you
14 want him to read?

15 MR. CRUZ-BUSTILLO: The middle one,
16 the one with the BF.

17 MR. MEZA: I'm asserting the same
18 objections as I raised for Exhibit 1.

19 A. The one with the SOCS?

20 Q. Yeah.

21 A. "The SOCS information stored in the
22 SIW by Project Harmonize is screened for
23 transactions 'BR' (Switched to Reseller) and

00021
01 'BF' (Switched to Facility Provider).
02 Transaction 'SE' (Switched in Error) are not
03 captured, since it would be BST's error."

04 Q. That document uses the word
05 transaction. Would it be fair to say that, BF
06 and I can't see it from here, BR, are
07 transaction codes?

08 A. I have never heard of it referred to
09 in that manner.

10 Q. But the manual refers it to that
11 manner; is that correct?

12 MR. MEZA: Object to the form.

13 A. This document refers to it in that
14 manner, yes.
15

16 (Whereupon, Plaintiff's Exhibit 2
17 was marked for identification and a
18 copy of same is attached hereto.)
19

20 Q. Thank you very much. Now I'm going
21 to show you a third document Bates stamped
22 from that same large exhibit, 000775, and ask

23 you to read paragraph two at the bottom up

00022

01 through the word SE. You don't really have to
02 read the last sentence.

03 MR. MEZA: Counselor, you're marking
04 this as Exhibit 3?

05 MR. CRUZ-BUSTILLO: Yes, sir.

06 MR. MEZA: Same objections as to
07 Exhibits 1 and 2 and also note for the record
08 it's dated January 10, 1996.

09 THE WITNESS: Okay. I'm sorry,
10 which?

11 MR. MEZA: He wants you to read all
12 of paragraph two except for the last sentence.

13 A. "This is a detail report, similar to
14 the Weekly IntraLATA Switchers Report (above),
15 produced every Tuesday to identify the local
16 switchers of the given week. Local switchers
17 are customers who have chosen a local carrier
18 other than BellSouth. They would be
19 identified in the SOCS system's vcust.svcord
20 file with a Disconnect_Reason of 'BR,' 'BC,'
21 or 'SE.'"

22 Q. BR stands for what?

23 A. To my knowledge --

00023

01 MR. MEZA: As of 1996 when this
02 document was created?

03 MR. CRUZ-BUSTILLO: No, just what
04 does BR stand for.

05 MR. MEZA: Well, then you're not
06 referring to the document?

07 MR. CRUZ-BUSTILLO: I may in my next
08 question. Right now I'm asking him what does
09 BR stand for.

10 MR. MEZA: As of today -- is your
11 question as of today?

12 Q. (By Mr. Cruz-Bustillo) No, my
13 question is, what does BR stand for?

14 MR. MEZA: Object to the form of the
15 question and the scope of the question.

16 MR. CRUZ-BUSTILLO: Okay.

17 A. To me BR means BellSouth to
18 reseller.

19 Q. Okay. In that document what does BR
20 stand for?

21 A. I don't know for sure.

22 Q. Okay. The BR is referred to -- let
23 me take a look at that document for a second.

00024

01 Okay. The phrase right before BR -- how is BR
02 characterized in that paragraph, as a what?

03 A. Disconnect reason code.

04

05 (Whereupon, Plaintiff's Exhibit 3
06 was marked for identification and a
07 copy of same is attached hereto.)

08

09 Q. Okay. Could I have that document
10 back? Let me show you what's been marked as
11 Plaintiff's Exhibit 2. Can you show me how
12 the BR is characterized in that paragraph?

13 A. In this middle paragraph?

14 Q. Yes.
15 A. BR is switch to reseller.
16 Q. How is it identified? How is it
17 characterized in that paragraph?
18 A. Transaction.
19 Q. Okay. Thank you. I'm going to show
20 you another exhibit. This is --
21 MR. CRUZ-BUSTILLO: By the way, I
22 guess we're still under confidentiality.
23 MR. MEZA: Yes, I would agree with

0025

01 that.
02 Q. (By Mr. Cruz-Bustillo) This is page
03 nine of -- and I'll give you the whole
04 handbook. Do you want the whole handbook?
05 MR. MEZA: Just tell me what it's
06 from.
07 MR. CRUZ-BUSTILLO: It's the single
08 C processing for noncomplex conversion orders,
09 and I was just going to pull out page nine.
10 MR. MEZA: Why don't we give -- I
11 don't know, because of his -- I don't want to
12 have a speaking objection but because of his
13 area of expertise, why don't we give him the
14 whole document --
15 MR. CRUZ-BUSTILLO: Sure.
16 MR. MEZA: -- because I don't think
17 it's something that he's normally familiar
18 with.
19 Q. (By Mr. Cruz-Bustillo) I'm going to
20 want you to look at page nine and please
21 ignore my yellow stickies and my handwritten
22 notes on it.
23 MR. MEZA: This will be Exhibit 4,

0026

01 Counselor?
02 MR. CRUZ-BUSTILLO: Right, but I'm
03 only going to tag --
04 MR. MEZA: Page nine.
05 MR. CRUZ-BUSTILLO: Yeah, right.
06 This is off the record.
07
08 (Whereupon, a discussion was held
09 off the record.)
10

11 MR. MEZA: I'm going to object
12 because it does not look like it is a complete
13 document. There's pages missing. I know that
14 you're not going to ask him about any other
15 pages other than on page nine.
16 MR. CRUZ-BUSTILLO: Only on page
17 nine.
18 MR. MEZA: But I just want the
19 record to reflect that it's still not a
20 complete document. And Mr. Wolfe, I want you
21 to -- if you need to, just read everything and
22 then read page nine.
23 THE WITNESS: Okay.

0027

01 MR. MEZA: Is there any particular
02 part of page nine you want him to review or
03 just the whole thing?
04 MR. CRUZ-BUSTILLO: No, it's the

05 bottom part. I'm going to want him to look at
06 some DCRs.

07 MR. MEZA: Okay.

08 Q. (By Mr. Cruz-Bustillo) Are you
09 looking at page nine right now?

10 A. I am.

11 Q. On the left-hand corner at the
12 bottom, can you tell me the acronym in that
13 first box or second to the bottom?

14 A. DCR.

15 Q. And what does DCR stand for in your
16 understanding?

17 A. In my understanding, it's disconnect
18 reason code.

19 Q. Could you tell me the three
20 disconnect reason codes that appear to the
21 right of that acronym?

22 A. BR, BellSouth to reseller; RT,
23 reseller to reseller; SE, switched in error.

0028

01 MR. CRUZ-BUSTILLO: Thank you. I'd
02 like to have that marked as Exhibit 4, page
03 nine.

04
05 (Whereupon, Plaintiff's Exhibit 4
06 was marked for identification and a
07 copy of same is attached hereto.)

08
09 Q. (By Mr. Cruz-Bustillo) Aside from a
10 disconnect reason code being electronically
11 generated or the LCSC manually inputting a
12 disconnect reason code, is there any other
13 forum that Operation Sunrise tags a customer
14 account with a disconnect reason code?

15 MR. MEZA: Object to the form.

16 A. I don't understand your question.
17 Ask it again, please.

18 Q. Is there any other way to your
19 knowledge that Operation Sunrise, not just a
20 single database, Harmonize database or the
21 feed, Operation Sunrise tags -- and I'm using
22 that word specifically for a reason -- tags an
23 order with a disconnect reason code?

0029

01 MR. MEZA: Object to the form.

02 A. Okay. And do you mean by tags as
03 identifies?

04 Q. Yes.

05 A. Is there any other way that
06 Operation Sunrise identifies -- tags an
07 order -- identifies. I know that -- again
08 that OSS, the LCSC, they put those disconnect
09 reason codes on an order and then the
10 retail -- BellSouth retail puts disconnect
11 reason codes on orders. I think that's the
12 answer to your question.

13 Q. Okay. Do you know what the code is
14 that the retail sign places on a disconnect
15 order?

16 A. And by that do you mean a
17 competitive disconnect order?

18 Q. Well, let's answer both questions.
19 Let's do a disconnect order and a competitive

20 disconnect order.
21 A. There's a large list of disconnect
22 reason codes, and so the BellSouth retail
23 office could use a number of different codes.

00030

01 Q. All for competitive disconnects?
02 A. No.
03 Q. Let me show you exhibit Bates
04 stamped 001056. Let me show it to counsel and
05 I'm focusing on where the paper clip is
06 pointed.

07 MR. MEZA: Are you going to mark
08 this as an exhibit?

09 MR. CRUZ-BUSTILLO: Oh yeah, we're
10 going to mark it as an exhibit.

11 A. Do I read it or am I just referring
12 to it?

13 Q. You're just referring to it. Well,
14 actually read the sentence that begins Sunrise
15 tags.

16 A. "Sunrise tags a customer as a local
17 service switcher when these conditions apply."

18 Q. What are those conditions?

19 A. "A completed residential disconnect
20 (D or Single C) order and SOCS having a
21 disconnect reason code (DCR) of JL (identifies
22 a competitive disconnect) or CO (Competitor-
23 this code generated in the Consumer business

00031

01 offices)."

02 Q. So is a CO code generated on the
03 retail side?

04 A. To my knowledge, yes.

05 Q. Okay. Is JL generated on the retail
06 side?

07 A. No.

08 Q. To your knowledge, is JL still used?

09 A. No.

10 Q. Then I don't have to ask you my next
11 question. Thank you.

12

13 (whereupon, a discussion was held
14 off the record.)

15

16 (whereupon, Plaintiff's Exhibit 5
17 was marked for identification and a
18 copy of same is attached hereto.)

19

20 Q. Mr. wolfe, when to your knowledge
21 was -- what was the time frame which JL was
22 used?

23 A. JL was used in the time frame 1998

00032

01 through -- I don't know when it began in
02 '98 -- but through August 2001 -- up to August
03 2001.

04 Q. Okay. Is CO still used?

05 A. To my knowledge, yes. Can I -- can
06 you -- used by Sunrise, is that -- is that
07 what you said? Is that what you meant by that
08 question?

09 Q. Are you referring to?

10 A. Is it still used.

11 Q. Is CO?
12 A. Still used, that was your question?
13 Q. Is CO still a code that is
14 generated?
15 A. Yes.
16 Q. Okay. Do you know how JL or where
17 JL was generated?
18 A. Yes.
19 Q. Could you tell me where or when the
20 process it would be generated?
21 A. Okay. Where or when?
22 Q. Yes.
23 A. I just told you when. The process

00033

01 was generated outside of Operation Sunrise in
02 Harmonize.
03 Q. When you say Harmonize, do you mean
04 the Harmonize database?
05 A. Yes.
06 Q. And so that I am clear, there's a
07 Harmonize feed that goes to a first table
08 called the Harmonize database?
09 A. There is a feed from SOCS that goes
10 to the Harmonize database.
11 Q. And then we'll get back to the
12 step-by-step process so that everybody can
13 follow. The next step from the Harmonize
14 database is the temporary Sunrise table and
15 then the permanent Sunrise table; is that
16 correct?
17 A. After August 2001, that's correct.
18 Q. What was it before -- there's a
19 three-step process that I just outlined, and
20 you're saying that's post August 2001?
21 A. Correct.
22 Q. Pre-August 2001, was there a
23 straight feed from SOCS to the permanent

00034

01 sunrise table?
02 MR. MEZA: Object to the form.
03 A. There was -- it was not a feed.
04 Operation Sunrise extracted the data from
05 Harmonize through a view.
06 Q. When you say Harmonize, are you
07 talking about the Harmonize database?
08 A. Yes, the Harmonize database.
09 Q. Through a view. What's a view?
10 A. A view is a -- it is -- it's not
11 looking at the actual tables. It's looking at
12 a selected set of data from a table.
13 Q. Okay. Thank you very much. Let me
14 confer with my assistant here. (Pause.) Let
15 me ask you another question about the view.
16 Is that like a query?
17 A. Yes.
18 Q. A permanent query?
19 A. Yes.
20 Q. That's run all the time?
21 A. It's -- it's there, and anytime that
22 that table is accessed or anytime that view is
23 used through -- anytime a table is accessed

00035

01 through that view, it happens the same way

02 every time.

03 Q. Does Harmonize feed extract service
04 orders from SOCS based upon their DCR?

05 A. No.

06 Q. Does the -- okay. what is the --
07 what is the means by which orders are
08 identified in SOCS to be fed into the
09 Harmonize database?

10 A. There is a set of criteria by
11 which -- that defines what the Harmonize feed
12 will extract from SOCS into the Harmonize
13 database.

14 Q. Give me an example of some of those
15 criteria.

16 A. Order type.

17 Q. Can you give me an example of those
18 order types?

19 A. N orders for new, some, not all; C
20 orders; D orders; T orders.

21 Q. What's a T order?

22 A. A T order is a transfer order.

23 Q. Transfer, what does that mean?

00036

01 A. I can give you an example. It's
02 where a customer might move his service -- he
03 might move his residence from one side of town
04 to another so it would be a from order to
05 transfer service from one address to another
06 for example.

07 Q. So that would most likely be an
08 existing BellSouth retail customer?

09 A. Yes.

10 Q. The C order, when we talked about
11 the C, did you mean to imply a single C?

12 A. C orders would include a single C.

13 Q. Okay. what else would it include?

14 A. Regular change orders for BellSouth
15 residential retail customers.

16 Q. Those would eventually be filtered
17 out before reaching the permanent Sunrise
18 table; is that correct?

19 A. In the process after August, yes.

20 Q. Does the Harmonize feed take all T
21 orders?

22 A. No.

23 Q. Which T orders does it exclude?

00037

01 A. There's some specific requirements.
02 I don't know off the top of my head.

03 Q. Tell me, what was the basis of your
04 knowledge for you to say no to me right now
05 that not all T orders are pulled to the
06 Harmonize database?

07 A. Okay. Ask that again, please.

08 Q. You just told me no, not all T
09 orders are taken to the Harmonize database.

10 A. That's correct.

11 Q. You had to be thinking of something
12 that prompted you to give that answer. I want
13 to know what that something was.

14 A. There are requirements to that
15 Harmonize feed that specify clearly which
16 orders go from SOCS to the Harmonize database.

17 Q. And one of those are single C?
18 A. C orders.
19 Q. Included in that category is single
20 C?
21 A. Yes.
22 Q. When orders are -- I don't know if
23 this is a correct term -- filtered down from

00038

01 the Harmonize database to the temporary
02 Sunrise table, are the orders chosen based
03 upon their DCR?

04 A. No.

05 Q. What's the point of generating a DCR
06 then?

07 MR. MEZA: You're asking him in
08 relation to Sunrise or for other purposes?

09 MR. CRUZ-BUSTILLO: Just general,
10 I'm just asking you generally.

11 MR. MEZA: I object to the form of
12 the question.

13 Q. (By Mr. Cruz-Bustillo) What's the
14 point of generating a disconnect reason code?

15 A. Operation Sunrise doesn't generate
16 disconnect reason codes.

17 Q. Okay. What's the point of OSS
18 generating disconnect reason codes?

19 MR. MEZA: Object to the form.

20 A. The OSS or any disconnect reason
21 code is, to my understanding, it tells why a
22 disconnection occurred.

23 Q. Okay. Maybe I can answer the

00039

01 question this way or get the answer this way.
02 I'm going to show you what's been marked as
03 Plaintiff's Exhibit Number 1, Bates stamp
04 000760, and ask you to read the second
05 sentence in the second paragraph. Let me show
06 it to counsel first. Please read the second
07 sentence of the second paragraph.

08 MR. MEZA: Under operational method?

09 MR. CRUZ-BUSTILLO: I think so. If
10 I don't hear what it is, I'll tell you.

11 MR. MEZA: Okay.

12 A. "The current CARE files are
13 downloaded from Site D" --

14 Q. No, that's not it. That's not it.

15 MR. MEZA: I think he wants this.

16 A. "A separate nightly extract from the
17 SOCS table is loaded into the SIW by Project
18 Harmonize."

19 Q. Could you speak up please?

20 A. "A separate nightly extract from the
21 SOCS table is loaded into the SIW by Project
22 Harmonize. This SIW table is screened for
23 local service switchers via transaction codes

00040

01 and local switchers are loaded into the
02 Sunrise Database."

03 Q. Okay. So earlier we discussed
04 transaction codes, and DCR codes seemed to be
05 one and the same according to the documents?

06 A. To this document, yes.

07 Q. Okay. So the data is chosen based

08 upon a disconnect reason code according to
09 that document?

10 MR. MEZA: I object to the form of
11 the question. You can answer.

12 A. At this time of this document,
13 apparently yes.

14 MR. CRUZ-BUSTILLO: Staff, we're
15 going to take a five-minute break.

16 MS. DODSON: That's fine.

17
18 (Whereupon, there was a brief recess
19 taken from 9:00 a.m. to 9:15 a.m.)
20

21 Q. (By Mr. Cruz-Bustillo) I'm going to
22 show you an exhibit that comes from DAN-7,
23 Bates stamp -- actually it could be DAN-6,

0041

01 Bates stamp 000144, and I'm going to ask you
02 the -- to read the definition of wholesale
03 information, but I want to show it to your
04 counsel first.

05 A. This bullet beginning wholesale or
06 the whole thing?

07 Q. No; no; no, just where it says
08 wholesale.

09 A. Okay. There's three.

10 MR. MEZA: He wants the second
11 bullet.

12 MR. CRUZ-BUSTILLO: Oh, that one,
13 yes, sir.

14 A. "'wholesale Information' cannot be
15 used to target end user customers for winback
16 or retention efforts."

17 Q. Okay. Keep going.

18 A. "Examples of 'wholesale Information'
19 include: competitive disconnect codes are
20 considered wholesale information; 'wholesale'
21 carrier information that resides in BOCRIS."

22 Q. Okay. My question is, why is a
23 disconnect reason code considered proprietary

0042

01 to a CLEC?

02 MR. MEZA: Object to the form of the
03 question, and if you're asking him based upon
04 the previous question in the predicate of this
05 document, there is no mention of competitive
06 disconnect reason codes as being proprietary.
07 They're wholesale information.

08 MR. CRUZ-BUSTILLO: Thank you,
09 Mr. Meza, for instructing the witness. Let
10 me -- let me strike that and ask it again, and
11 Mr. Meza, I was at a loss when you said
12 predicate.

13 Q. (By Mr. Cruz-Bustillo) Mr. Wolfe,
14 let me hold the document so I can ask the
15 question precisely. Mr. Wolfe, does this
16 document not say that competitive disconnect
17 codes are considered wholesale information
18 right there?

19 A. It does.

20 Q. Okay. To your knowledge, why is a
21 competitive disconnect code considered
22 wholesale information?

23 MR. MEZA: Object to the form of the
00043

01 question, calls for a legal conclusion. You
02 can answer.

03 A. Okay. Ask that again. I'm sorry.

04 Q. Here you have a document not created
05 by the legal department but created by
06 Bellsouth, the company?

07 MR. MEZA: Object to the form. You
08 don't know that's not created by legal. Go
09 ahead.

10 MR. CRUZ-BUSTILLO: Well, otherwise
11 it would be attorney-client privilege.

12 MR. MEZA: Well, it could be created
13 by legal but not be privileged.

14 MR. CRUZ-BUSTILLO: Okay. Let's get
15 back to my question.

16 Q. (By Mr. Cruz-Bustillo) It says here,
17 "competitive disconnect codes are considered
18 wholesale information." To your knowledge,
19 why are competitive disconnect codes
20 considered wholesale information?

21 A. It says it in this document.
22 Wholesale information is defined as
23 information that you have as a result of a

00044
01 customer carrier to customer situation, so I
02 guess that's why, it's because it falls under
03 that definition of wholesale information.

04 MR. MEZA: Hence my objection.

05 Q. This document says competitive
06 disconnect codes. Do you consider that
07 phrase, competitive disconnect codes, to be
08 synonymous with disconnect reason codes? What
09 does that mean to you?

10 A. I consider them to be synonymous.

11 Q. Okay. Aren't disconnect reason
12 codes generated by OSS? Isn't that what you
13 told me earlier?

14 A. Competitive disconnect reason
15 codes -- competitive disconnect reason codes
16 would be generated -- that's one method by
17 which they're generated, yes.

18 Q. Electronically by LESOG and manually
19 by the LCSC?

20 MR. MEZA: Object to the form.

21 A. Electronically by OSS I know and
22 manually by LCSC, yes.

23 Q. Do the purpose -- a reason why they
00045

01 would be considered wholesale information is
02 because they identify that the switch that the
03 order originates from a CLEC service order?

04 MR. MEZA: Object to the form.

05 A. It could be wholesale information in
06 my view because it is generated through the
07 OSS or the LCSC.

08 MR. CRUZ-BUSTILLO: I'd like to mark
09 this as the next exhibit.

10 MR. MEZA: Six.

11 MR. CRUZ-BUSTILLO: Oh, and let's --
12 I have to say, we're going to go back. This
13 is confidential to the point that we

14 identified the document and everything he said
15 subsequent until now is confidential, and this
16 is 6.

17
18 (Whereupon, Plaintiff's Exhibit 6
19 was marked for identification and a
20 copy of same is attached hereto.)
21

22 Q. Let's turn to page 11, lines 10
23 through 12. Could you read me the sentence

0046
01 between 10 and 12? You might show it to your
02 counsel first.

03 MR. MEZA: Okay.

04 A. The sentence between 10 and 12?

05 Q. Well, the one beginning with next
06 and ending with SOCS.

07 A. "Next, Operation Sunrise copies into
08 a permanent table in the Sunrise database
09 certain data from each remaining disconnect
10 order, the NPA, the NXX, the line, the
11 customer code, and the date the data was
12 extracted from SOCS."

13 Q. What is meant by customer code?

14 A. The customer code is a three-digit
15 code that is placed on an account at the time
16 the account is established.

17 Q. When you say that, do you mean
18 established when the customer was an existing
19 BellSouth retail customer?

20 A. I mean when a customer establishes
21 an account with BellSouth, then a customer
22 code is applied to that account.

23 Q. Now, if a CLEC service order makes

0047
01 it all the way down to the permanent Sunrise
02 table, and assume for the purpose of this
03 question that that's the origins of the order
04 because we -- okay, just assume that. If a
05 CLEC service order makes it all the way down
06 to the permanent Sunrise table and contains
07 the customer code, is that the code that
08 exists for that account when that customer was
09 an existing retail BellSouth customer?

10 MR. MEZA: Object to the form.

11 A. This data is from a disconnect
12 order, the order disconnecting the BellSouth
13 account. It doesn't -- Operation Sunrise
14 doesn't know where it came from. It's just a
15 disconnect order, and on that order is the
16 NPA -- the customer code, and that customer
17 code is of the former BellSouth customer.

18 Q. All right. I don't think you
19 answered my question. Let me go back because
20 you provided a lot of different answers in
21 there regarding different subjects.

22 A. Sorry.

23 Q. Let me -- let's establish this is

0048
01 that Operation Sunrise, through the Harmonize
02 table and the temporary table, while the order
03 is flowing through there, there is a
04 disconnect reason code identifying the origins

05 of that order; isn't that true?

06 A. Each -- yes, the SOCS has orders
07 with disconnect reason codes. Harmonize has
08 orders with disconnect reason codes.

09 Q. Okay. And some of those disconnect
10 reason codes are those using the retail side
11 like CO or those using the wholesale like side
12 like BR or RT or BC or BF or different ones
13 that we discussed?

14 A. Correct.

15 Q. Is that correct?

16 A. Correct.

17 Q. So now, for the purposes of this
18 discussion, and we'll get to that specifically
19 so we can finish walking through it. When it
20 hits the permanent Sunrise table, I believe
21 your rebuttal testimony indicates that all of
22 these disconnect reason codes are filtered out
23 and that only a subset of limited information

0049

01 hits the permanent Sunrise table and that
02 information is -- and here's my question, the
03 information you identified on page 11, lines
04 10 through 12; is that correct?

05 A. That's correct.

06 Q. Okay. So now, for the purpose of
07 this question we have a hypothetical. We have
08 an order that started on the wholesale side.
09 when it reached the permanent Sunrise table,
10 it had the customer code; is that correct?

11 A. It has the customer code of the
12 disconnect order that disconnected the
13 Sunrise -- excuse me, the former BellSouth
14 customer.

15 Q. Okay. That's where I'm confused.
16 You just told me a few minutes ago when I
17 asked you what the customer code is, you said
18 that code that is established at the time the
19 customer establishes a new account with
20 BellSouth; is that correct?

21 A. That's correct.

22 Q. Okay. And in fact, I think there's
23 an interrogatory on that answer -- the

0050

01 interrogatory says the same thing you just
02 said.

03 A. Correct.

04 Q. Now, my question is, if that
05 number -- let me put it this way because I
06 didn't understand this yesterday, you going
07 through it. January 2003, I start an account
08 with BellSouth. I call BellSouth. I just
09 moved to Miami. I start an account. I'm
10 going to have a customer code assigned to me;
11 is that correct?

12 A. That's correct.

13 Q. Now I'm in September -- August 2003
14 and I want to switch to Supra, so I call up
15 Supra, they submit an order to have me
16 converted on a single C, won over to UNE-P.
17 Supra doesn't know that customer code; is that
18 correct?

19 A. I don't know.

20 Q. Okay. At what point is that
21 customer code stuck on that CLEC service order
22 to make it down to the permanent Sunrise
23 table?

00051

01 A. I don't know what happens to the
02 CLEC service order.

03 Q. Okay. That's not my question. My
04 question is, do you have knowledge at what
05 point in the process between SOCS and the
06 permanent Sunrise table that the customer code
07 is assigned to that CLEC service order? Do
08 you know that?

09 A. No.

10 Q. Okay. But you would agree with me
11 or I'm asking you, do you agree with me that
12 at some point between the time the CLEC
13 service order is in SOCS and the time that
14 CLEC service order hits the permanent Sunrise
15 table, that the customer code is attached to
16 that order?

17 MR. MEZA: Object to the form.

18 A. The customer code is on the
19 disconnect order.

20 Q. Okay. So the answer to my question
21 would be yes?

22 A. The customer code is on the
23 disconnect order.

00052

01 Q. And if the CLEC didn't include it on
02 its LSR, it would have to have been generated
03 between some point between SOCS and a
04 permanent Sunrise table; is that correct?

05 A. I don't know. What I know is that a
06 disconnect order that flows into SOCS has a
07 customer code on it.

08 Q. Okay. Let me ask this way for the
09 purposes of my question because you didn't
10 answer, so it's not asked and answered.

11 A. Sorry.

12 MR. MEZA: Well, I object to your
13 statement. I think he has asked and answered
14 it.

15 MR. CRUZ-BUSTILLO: I withdraw that
16 comment to the extent it was in any way taken
17 offensively.

18 Q. (By Mr. Cruz-Bustillo) Let me just
19 ask the question this way, assume my
20 hypothetical to be true, that a CLEC does not
21 include on its LSR the customer code assigned
22 to me, remember my hypothetical that I signed
23 up in January 2003 with BellSouth and I got a

00053

01 code. The CLEC, assume for the purposes of
02 this question, doesn't attach it to its LSR.
03 The LSR is accepted by SOCS and becomes a CLEC
04 service order. Assuming that the CLEC did not
05 include the customer code, do you agree
06 logically that at some point between SOCS and
07 a permanent Sunrise table that code would have
08 to have made it on to the CLEC service order?

09 MR. MEZA: I'm going to object but
10 go ahead.

11 A. When you say the CLEC service order,
12 what do you mean?

13 Q. I mean a single C conversion over
14 UNE-P as is.

15 A. Okay. The C order, that single C
16 order will have the customer code on it.

17 Q. And if it wasn't placed on that
18 service order by the CLEC, would you agree
19 with me that it came from somewhere inside
20 BellSouth's OSS?

21 A. It could.

22 Q. Thank you. When you say it could,
23 I'm asking for a yes or a no. If it didn't

0054
01 come from the CLEC service order, is there any
02 other place it could have come from other than
03 BellSouth's OSS?

04 A. I don't know what the OSS does so
05 if -- I don't know of any other place it could
06 have come.

07 Q. Except BellSouth?

08 A. Either the OSS or the LCSC, yes.

09 Q. Thank you. Is a customer code
10 attached to the end of the telephone number?

11 A. That customer code with the NPA,
12 NXX, and line together typically makes up the
13 account number, and in that case it would be
14 the last three digits of those 13.

15
16 (whereupon, a discussion was held
17 off the record.)

18
19 MR. CRUZ-BUSTILLO: Jim, I'm going
20 to show him what's been Bates stamp DAN-9 --
21 I'm sorry, it's DAN-9, Bates stamped 001054.
22 I'm going to ask him some of the questions I
23 asked Ms. Summers but she referred to you that

0055
01 you would have the answer.

02 MR. MEZA: It's confidential;
03 correct?

04 MR. CRUZ-BUSTILLO: This is
05 confidential, this is correct. Hold on, I may
06 skip that.

07 Q. (By Mr. Cruz-Bustillo) Let's go to
08 page six of your testimony, lines one and two.

09 MR. MEZA: Jorge, just so I'm clear,
10 we're not using that document you just
11 referenced?

12 MR. CRUZ-BUSTILLO: Well, we're
13 going to get back to it.

14 MR. MEZA: Okay. So we're no longer
15 confidential I guess.

16 MR. CRUZ-BUSTILLO: Yeah, we're no
17 longer confidential. I'm actually going to
18 get to it in a couple of more questions. I
19 didn't want to be repetitive, so I skipped
20 that.

21 MR. MEZA: Okay.

22 (End confidential.)

23 Q. (By Mr. Cruz-Bustillo) Let's go to
0056
01 page six, lines one and two. Actually that's

02 out of context. We're going to have to start
03 on the page before. You'll want to start with
04 reading line 25 on page five and then going on
05 to the sentence that ends operations page six,
06 lines one and two.

07 MR. MEZA: And just for the record,
08 you're asking him to read a question and
09 answer that was provided by Ms. Summers?

10 MR. CRUZ-BUSTILLO: Correct, because
11 I believe that he might know the answer to it.

12 MR. MEZA: Okay.

13 MR. CRUZ-BUSTILLO: You're right, it
14 is Ms. Summers.

15 A. "For instance, a disconnect order
16 that results from a BellSouth retail customer
17 calling BellSouth disconnect his service
18 because he's moving would come from
19 BellSouth's retail operations."

20 Q. Would that be a T order?

21 A. Possibly.

22 (Begin confidential.)

23 Q. Okay. Thank you. I'm going to find

□0057

01 DAN-8, 001018. This document in DAN-8 will
02 notify -- saying what it is is not
03 confidential, just disclosing the contents.
04 It's DAN-8, and it's document 001018. From
05 here on out it will be confidential. I was
06 going to show you -- ask him to read weekly --
07 ask him to read this paragraph.

08 MR. MEZA: Okay. Counsel, do you
09 know the date of this document, what the
10 origin of DAN-8 is?

11 MR. CRUZ-BUSTILLO: DAN-8 is that
12 one that comes from the minutes regarding the
13 creation of Operation Sunrise. It's that --
14 it's the very -- no, I'm sorry. DAN-8 is
15 Operation Sunrise.

16 MR. MEZA: What year version?

17 MR. CRUZ-BUSTILLO: DAN-8 is the
18 2000 version.

19 MR. MEZA: 2000 version.

20 MR. CRUZ-BUSTILLO: That's right,
21 that comes from the 2000 version.

22 MR. MEZA: He wants you to read this
23 paragraph. Out loud or to himself?

□0058

01 MR. CRUZ-BUSTILLO: No, out loud.

02 A. "CARE and CAR deliver files to MKIS
03 up to thrice daily pending new files to the
04 previous files for that day. SOCS delivers
05 files once. Sunrise information is extracted
06 from CARE and CAR in a batch job that runs at
07 approximately 7:00 p.m. CST five nights per
08 week each night but Friday and Sunday. The
09 SOCS data is extracted each Friday morning as
10 the first step in the weekly process. Once
11 the data is gathered on TEAR data, Sunrise
12 steps through the manipulation process. Data
13 is scored, campaign criteria is applied.
14 Export files are built and sent. Targeted
15 records are loaded. History data is archived
16 and summary tables are updated. This weekly

17 lead generation process is completed by
18 approximately 5:00 p.m. each Friday."
19 Q. First let me ask you, are you
20 responsible for the creation of this document?
21 A. I don't know.
22 Q. Okay. That comes from -- that
23 single page comes from what has been marked as

00059

01 DAN-9 in our proceedings which is -- is that
02 DAN-9 or DAN-8 -- actually it's --
03 MR. NILSON: Different versions of
04 the same document.
05 MR. CRUZ-BUSTILLO: Do you have
06 DAN-8?
07 NILSON: I have both.
08 MR. MEZA: Are we changing Bates
09 numbers since you were referencing?
10 MR. CRUZ-BUSTILLO: No, I was just
11 going to show him -- ask him to look at the
12 first page of the manual and see if he can
13 identify his name as the individual who -- on
14 the revision history of this document.
15 THE WITNESS: Yes, it is my name on
16 the first page of the revision history of this
17 document, of this document that you just
18 handed me here.
19 MR. MEZA: Which is DAN-8?
20 THE WITNESS: DAN-8.
21 MR. CRUZ-BUSTILLO: And then let me
22 just show you DAN-9. See, here's DAN-9, Jim,
23 and then I was going to ask him to look at the

00060

01 first page.
02 MR. MEZA: DAN-9 is dated June 14th,
03 2001.
04 MR. CRUZ-BUSTILLO: 2001.
05 MR. MEZA: It's Bates stamped
06 001049.
07 A. My name is there as well.
08 Q. Okay. And what does that mean that
09 your name is there? What's the significance
10 of that?
11 A. I'm the author of the document.
12 Q. Okay. So would it be fair to say
13 that you were the author of that paragraph?
14 A. If this paragraph is in one of those
15 documents, yes.
16 Q. Okay. Let's go to -- you can keep
17 it in front of you. I have some notes here.
18 One of the things that -- the document says
19 that Sunrise steps through the manipulation
20 process. It says data is scored. What does
21 that mean, data is scored?
22 A. I believe that means that we look at
23 the spend levels for Bellsouth retail

00061

01 customers for the purpose of before we
02 actually apply the targeting criteria.
03 Q. Okay. And what's the targeting
04 criteria?
05 A. The campaign criteria as it's listed
06 here.
07 Q. Okay. When you say it's scored, is

08 that revenue generated from the account when
09 they were an existing BellSouth customer?

10 A. That would be -- that could be part
11 of it, yes.

12 Q. Okay. In there it says that export
13 files are built and sent. Where are those
14 export files built?

15 A. Within Operation Sunrise.

16 Q. Is that within the MKIS area?

17 A. Within the Operation Sunrise
18 database.

19 Q. So when the -- would it be correct
20 to say that once the permanent Sunrise table
21 is populated, that that is considered -- that
22 the record has been built?

23 MR. MEZA: Object to the form.

□0062

01 A. What this document is referring to
02 is the actual creation of the lead record to
03 be sent to a third party.

04 Q. And is a lead record done by MKIS?

05 A. Yes.

06 Q. And it's done using the record or
07 using the information that populates the
08 permanent Sunrise table?

09 A. It uses the records that we've
10 gotten from -- through the process for local
11 toll product or local service that's in the
12 sunrise database.

13 Q. I'm sorry. I thought that the
14 permanent Sunrise table only had local
15 switches?

16 A. No; no.

17 Q. Ms. Summers says that it did.

18 MR. MEZA: Object to the form.

19 A. I don't know what Ms. Summers said
20 about that but it's got more than just local
21 service.

22 Q. Well, let me ask you this.

23 Ms. Summers told me that there was a separate

□0063

01 feed other than the Harmonize feed for product
02 changes and that it populated another database
03 and maybe it's my understanding. When I'm
04 thinking of a permanent Sunrise table, I'm
05 thinking of one table. Are there different
06 tables that MKIS has access to that has
07 different information?

08 A. There's many tables in Operation
09 Sunrise.

10 Q. That MKIS has access to?

11 A. That Operation Sunrise as a part of
12 the MKIS organization, yes, has access to.

13 Q. One of those tables is for local
14 service -- switches?

15 A. At this point in the process
16 according to this document, we would export
17 data from the Sunrise database and it would
18 include local service, local toll, and product
19 feature winback at this point according to
20 this document.

21 Q. Okay. Let's not look at the
22 document now.

23 A. Okay.

□0064

01 Q. I want to know your personal
02 knowledge --

03 A. Okay.

04 Q. -- in working in MKIS.

05 A. Uh-huh.

06 Q. Do you actually generate leads for
07 the outside marketing vendors?

08 MR. MEZA: What time period?

09 MR. CRUZ-BUSTILLO: I'm asking him
10 now in his position in MKIS does he

11 actually -- does he actually create leads.

12 A. Operation Sunrise creates the leads,
13 yes.

14 Q. My question is, from the permanent
15 Sunrise table, is there some sort of computer
16 program or is it done manually that
17 extracts -- that generates the leads for the
18 outside vendors?

19 A. It's a computer program.

20 Q. How many files are created a week in
21 your group?

22 MR. MEZA: Object to the form.

23 Q. Let me restate that. How many

□0065

01 individual leads are created on a weekly basis
02 to be shipped, to be sent out to third-party
03 vendors?

04 A. And you're referring only to
05 Operation Sunrise?

06 Q. No, I'm referring to your personal
07 knowledge in dealing with Operation Sunrise
08 and generating leads in the MKIS group.

09 A. MKIS generates lots of leads, not
10 just for Operation Sunrise, so you want to
11 know on a typical week how many lead lists we
12 generate. Is that what you're asking me? Can
13 you ask me again now? MKIS generates lots of
14 lead lists. Are you asking me specifically
15 the number of lists that we generate through
16 Operation Sunrise or throughout MKIS?

17 Q. No, I'm asking specifically
18 regarding switches, local service switches.

19 A. Today?

20 MR. MEZA: In Florida.

21 Q. I don't know, answer -- Florida and
22 region wide, answer both.

23 MR. MEZA: I object to the form of

□0066

01 the question, the scope of the question. Go
02 ahead.

03 A. Operation Sunrise generates local
04 service leads one file -- one file -- one lead
05 list -- that's two lead lists a week.

06 Q. There's two lead lists a week?

07 A. Yes.

08 Q. And does that account for switches
09 for the previous seven days?

10 A. It includes those and more.

11 Q. Okay. And how many are included in
12 each one of those two lists?

13 A. How many records?

14 Q. Yeah.
15 A. How many leads?
16 Q. How many leads, records? If you're
17 using leads and records interchangeably, yes.
18 A. And I'm just referring now to local
19 service because those lists also include local
20 toll and product feature, so generally the
21 number of leads going out would be probably I
22 would just guess 100,000.
23 Q. Is that 100,000 per list because

0067

01 you've said there's two lists that go out a
02 week?
03 A. I'm including both of those
04 together.
05 Q. Okay. Now, let me go back to my
06 question regarding -- oh, is that Florida or
07 region wide?
08 A. Region wide.
09 Q. Where's your office located?
10 A. My office?
11 Q. Yeah.
12 A. Is in Birmingham, Alabama.
13 Q. Okay. Let me go back to the
14 question about the permanent Sunrise table.
15 Is there a different, and maybe I'm
16 mischaracterizing it so please correct me, is
17 there a permanent Sunrise table for local
18 switches, another permanent Sunrise table for
19 product changes, and another permanent Sunrise
20 local table for toll switches; is that
21 correct?
22 A. No.
23 Q. Are all three different groups on

0068

01 one table?
02 A. They're in one -- they're in one
03 table, yes.
04 Q. How is it that you distinguish
05 between each group?
06 A. We assign codes internally in MKIS
07 to those particular groups of records.
08 Q. And what are those codes?
09 A. You want to know what the actual
10 code is?
11 Q. Yes.
12 A. Each one -- each group has a couple
13 of different codes but there would be a code
14 for local service that would begin LS
15 something, something, something.
16 Q. Is that numerical or a letter?
17 A. Those are letters. Local toll has
18 LT something, something, something, and
19 product I'm not sure exactly what the code is,
20 it may be PR, but I'm not sure about that.
21 Q. Followed by a letter, letter,
22 letter?
23 A. I think they're letters. I think

0069

01 they're letters.
02 Q. Okay. Let's go back to the document
03 in front of you, and in the long paragraph, I
04 don't know where it is, but it referred to

05 history data is archived. Could you tell me
06 to your knowledge where the lists are archived
07 or what is that referring to? what data?

08 A. I don't remember specifically what
09 that is.

10 Q. Okay. Summary tables are updated.
11 what does that mean, what tables?

12 A. I'm not sure exactly what those are.

13 Q. Okay. Thank you very much. Can you
14 give that back to me.

15 MR. CRUZ-BUSTILLO: We're going to
16 mark this as what number was it?

17 MR. MEZA: Seven.

18 MR. CRUZ-BUSTILLO: Seven.

19
20 (Whereupon, Plaintiff's Exhibit 7
21 was marked for identification and a
22 copy of same is attached hereto.)
23

□0070

01 (End confidential.)

02 Q. Okay. I'd like to refer to page --
03 actually this is a question I should have
04 asked Ms. Summers. I did not because I had
05 written down that wolfe had said it but let me
06 ask you. Page seven, lines 10 through 13
07 beginning with the words information relating
08 to. Could you read that sentence so I can ask
09 you a question?

10 A. "Information relating to Supra
11 customers resides in the part of the SIW that
12 is accessible to BellSouth's Interconnection
13 services, ICO organization, and is used by ICS
14 in connection with BellSouth's provision of
15 wholesale service to Supra."

16 Q. What Supra customer information
17 resides in SIW that's used that's being
18 referred to in that sentence?

19 MR. MEZA: Object to the form.

20 A. I don't know.

21 MR. CRUZ-BUSTILLO: Okay. Why don't
22 we do this, why don't we take a five-minute
23 break because I know where I'm going to start

□0071

01 right now and we should be done very shortly.

02
03 (Whereupon, there was a brief recess
04 taken from 9:59 a.m. to 10:08 a.m.)
05

06 Q. (By Mr. Cruz-Bustillo) Okay. We're
07 on the record. Mr. Wolfe, how are you this
08 morning?

09 A. So far so good.

10 Q. Okay. Let's turn to page ten of
11 your testimony, lines 18 through 15 -- no, I'm
12 sorry, eight through 15.

13 MS. DODSON: Could I check, are we
14 off confidential?

15 MR. MEZA: Yes.

16 MR. CRUZ-BUSTILLO: Yes, we're back
17 off confidential. When it's confidential, do
18 certain people have to leave the room, is that
19 what's going on?

20 MS. DODSON: No, we just want to
21 clarify for the record.

22 MR. CRUZ-BUSTILLO: Okay, good.

23 Q. (By Mr. Cruz-Bustillo) Let me look

00072

01 at your testimony first before I -- okay. I'm
02 going to ask you to read lines eight through
03 15 and so that the purpose of you reading the
04 testimony here is I want to provide a context
05 of the first of the three boxes that I
06 envisioned in my mind, which is the Harmonize
07 database, then you have the temporary
08 database, and then the permanent and what goes
09 on between each one, so if you could please
10 read lines eight through 15 into the record,
11 we'll start from there.

12 A. "Each night SOCS creates an extract
13 file of all orders from the preceding 24 hour
14 period. The extract file is posted to a main
15 frame repository which resides in a computer
16 environment separate from the SIW, and each
17 night using the Harmonize feed, various types
18 of orders, including retail and wholesale
19 disconnect orders and orders of other types,
20 are harvested from this extract file and
21 downloaded into a database on the SIW called
22 the Harmonize database. The Harmonize
23 database is separate from the Sunrise database

00073

01 on the SIW."

02 Q. Okay. Now, before I get to my
03 question, can we turn to page five, lines ten
04 through 13, and can you read me the sentence
05 that begins with the word all?

06 A. "All disconnect orders insert a new,
07 change, and transfer orders flow nightly into
08 the Harmonize database on the strategic
09 information warehouse, a data warehouse via a
10 data feed called the Harmonize feed which is
11 sourced from SOCS."

12 Q. So these two sections of your
13 testimony is consistent with what you told me
14 earlier that all different types of orders are
15 pulled out to this first Harmonize database;
16 is that correct?

17 A. SOCS contains all orders and certain
18 orders -- order types are pulled out into
19 Harmonize, yes.

20 Q. And like on page five lines ten
21 through 13, that's new orders, change orders,
22 transfer orders?

23 A. Where does it say -- it says --

00074

01 Q. Lines ten through 13.

02 A. Right, all disconnect orders and
03 certain new, change, and transfer orders.

04 Q. And earlier when you talked to me
05 about D orders, N orders, C orders, T orders,
06 did that reflect your testimony on page five,
07 lines ten through 13?

08 A. Disconnect orders, right, new
09 orders, change orders, and transfers, yes.

10 Q. And while you wrote change order,

11 just so that we are clear, included in that
12 category would be single C orders; is that
13 correct?

14 A. C orders are included, yes.

15 Q. Thank you. Okay. Now let's turn to
16 page ten beginning on line 17 through 19 and
17 then -- actually all the way through 24. This
18 section has to do with or is it not that this
19 section has to do with what information is
20 moved from the Harmonize database to the
21 temporary Sunrise table; is that correct?

22 A. Yes.

23 Q. Could you read that section into the
0075 record, lines 17 through 24?

02 A. "Once each week Operation Sunrise
03 downloads from the Harmonize database all of
04 the completed residential orders from the
05 preceding seven days into a temporary table.
06 If an order has not completed or is not
07 associated with a residential account, Sunrise
08 does not download it into the temporary
09 table."

10 Q. Okay. Let's stop there right there.
11 so business accounts won't make it to the
12 temporary Sunrise table. would that be
13 correct?

14 A. That is correct.

15 Q. Okay. Please, go on.

16 A. "Next, Sunrise eliminates all orders
17 except D and C orders."

18 Q. Okay. Let's stop right there. so
19 the only thing moving down -- the only orders
20 moving down to the temporary Sunrise table are
21 disconnect orders and C orders; is that
22 correct?

23 A. Actually at that point it's all in
0076

01 the temporary table and we are eliminating all
02 orders but D and C orders.

03 Q. Okay. Let me ask you, when you said
04 C -- the sentence reads, "Next, Sunrise
05 eliminates all orders except D and C." Is it
06 correct to say that the -- at the completion
07 of this filtering process that the temporary
08 Sunrise table will only contain, according to
09 your testimony, D orders and C orders?

10 A. Correct.

11 Q. Okay. C orders there, are you
12 referring to single C?

13 A. Those would be included.

14 Q. would product changes be included in
15 there?

16 MR. MEZA: Object to the form.

17 A. Yes.

18 Q. And what is the basis of that
19 answer?

20 MR. MEZA: Object to the form.

21 A. I don't understand your question.

22 Q. Okay. It's my understanding from
23 your testimony that all residential accounts
0077

01 with a disconnect reason code of a competitive

02 switch -- strike that.

03 When you say C orders, do you mean
04 change orders too?

05 A. Yes.

06 Q. How do you define change orders?

07 A. A change order is an order that the
08 retail unit could use to make a product
09 feature change, a PIC change -- an LPIC change
10 on a BellSouth residential retail account.

11 Q. I thought all LPIC changes flow from
12 CARE into Operation Sunrise; is that correct?

13 A. Operation Sunrise, for the purpose
14 of its local toll reacquisition activities,
15 uses only CARE.

16 Q. Okay. And then from CARE it flows
17 into -- from CARE does it flow to the
18 Harmonize database?

19 A. No.

20 Q. Okay. So then the LPIC change you
21 just talked to me about wouldn't move from the
22 Harmonize database to the temporary Sunrise
23 table; isn't that correct?

0078

01 A. Not from CARE it wouldn't.

02 Q. Well, you just told me that a LPIC
03 wouldn't move from the Harmonize database to
04 the temporary Sunrise table, and now you just
05 told me that it moves from CARE and it doesn't
06 go to the Harmonize table, so my question is,
07 how can an LPIC move from a Harmonize database
08 to the temporary Sunrise table?

09 A. LPIC --

10 MR. MEZA: wait a minute. I'm going
11 to object to this line of questioning, but go
12 ahead.

13 A. CARE is one source of identifying an
14 LPIC change. Service orders, specifically
15 change orders, would be another way to
16 identify LPIC changes.

17 Q. Okay. Let's go to lines 21 through
18 24. Could you read me that last sentence?

19 A. "Next, Sunrise eliminates all orders
20 except D and C orders. At this point, the
21 temporary table contains all orders and SOCS
22 from the previous seven days that involve
23 completed disconnections of residential retail

0079

01 service, both CLEC initiated disconnections
02 and those initiated by BellSouth's retail
03 operations."

04 Q. Okay. So if completed disconnects
05 are only moved to the temporary Sunrise table,
06 would it be correct to say that a single C
07 while pending would remain in the Harmonize
08 database?

09 MR. MEZA: Object to the form.

10 A. This temporary database contains
11 only completed orders.

12 Q. So would a noncompleted order be
13 moved from the Harmonize database to the
14 temporary Sunrise table?

15 A. No.

16 Q. On page five, lines 13 through 16, I

17 believe that's your testimony, yes, lines 13
18 through 16, could you read me that last
19 sentence beginning with the local service?
20 A. "The local service reacquisition
21 function of Sunrise processes data from the
22 Harmonize database on a weekly basis in a
23 manner that filters out any information that

0080

01 could even arguably be considered CPNI or
02 wholesale information."

03 Q. What information is filtered out
04 that you consider to be CPNI or wholesale
05 information?

06 A. Disconnect reason codes.

07 Q. What else?

08 A. That's all.

09 Q. That's the only thing that's
10 filtered out?

11 MR. MEZA: Object to the form.

12 Q. Is that the only thing that's
13 filtered out?

14 MR. MEZA: Object to the form.

15 A. That is the only criteria used for
16 filtering is disconnect reason codes.

17 Q. Do you consider the name of the
18 competitor to be wholesale information or CPNI
19 information?

20 A. I don't know.

21 Q. For the purposes of generating leads
22 to market to a former customer, does it matter
23 to you what competitor the customer went to?

0081

01 A. Operation Sunrise has no idea about
02 that.

03 Q. Well, I'll get back to that
04 question. You're saying that the MKIS
05 employee dealing with the records that
06 populate -- the permanent Sunrise table have
07 no idea. Is that what you meant by that
08 answer?

09 A. Operation Sunrise and the employees
10 therein have no idea who the carriers are.

11 Q. Okay. Well, that wasn't my
12 question. Let me ask you this, is -- when you
13 say Operation Sunrise, do you mean the feed
14 beginning from SOCS and including the three
15 tables we discussed? Is that all included in
16 Operation Sunrise?

17 A. When I refer to Operation Sunrise, I
18 mean the part of the process that begins with
19 the weekly extraction of data from Harmonize.

20 Q. You mean the information that's
21 moved from the Harmonize table to the
22 temporary Sunrise table?

23 A. That is the beginning of operation

0082

01 Sunrise in my view.

02 Q. Okay. What about the Harmonize feed
03 that brings the information from the SOCS to
04 the Harmonize database? Is that part of
05 Operation Sunrise?

06 A. I don't consider that a part of
07 Operation Sunrise.

08 Q. But the Harmonize feed itself was
09 developed to support Operation Sunrise; isn't
10 that correct?

11 A. Not to my knowledge.

12 Q. Okay. So the Harmonize database
13 contains disconnect reason codes that can
14 identify where the order has its origins from;
15 that's true?

16 A. Yes.

17 Q. Okay. The temporary Sunrise table
18 has identifying codes still attached to those
19 account records identifying the origins of
20 that order; that's correct?

21 A. Yes.

22 Q. So when it finally reaches the
23 sunrise permanent table and those disconnect
0083

01 reason codes have been filtered out before
02 that file hits that permanent table, your
03 testimony is, is it correct, that the person
04 now viewing those files in that table have no
05 idea of the origins of the order; is that
06 correct?

07 A. My testimony is personnel never see
08 any of that because it's a program.

09 Q. Okay. But then Operation Sunrise
10 above the personnel where all the filtering
11 takes place, those systems, computer systems,
12 do have criteria that would allow it to know
13 where the orders had its origins from?

14 MR. MEZA: Object to the form.

15 MR. CRUZ-BUSTILLO: Okay. You can
16 answer the question.

17 A. Okay. Ask that again, I'm sorry, to
18 be clear.

19 Q. Okay. You told me at the beginning
20 of this line of questioning that Operation
21 Sunrise in higher corporate program of
22 activities didn't know where the orders
23 originated from, whether it be retail or
0084

01 wholesale. But now through this line of
02 questioning, you've acknowledged that the
03 Harmonize database can tell where the orders
04 have their origins from -- the temporary
05 Sunrise table can tell where the origins of
06 the orders -- where the origins are from, but
07 that the only time the origins of that
08 order -- where you cannot identify the origins
09 of that order is when it hits the permanent
10 Sunrise table, so my question is, it's not
11 accurate to say that Operation Sunrise, the
12 entire group of corporate activities, doesn't
13 know the origins of those orders. Portions
14 of -- is it not correct that portions of
15 Operation Sunrise do have codes that would
16 allow you to identify the origins of those
17 orders; isn't that correct?

18 A. No, Operation Sunrise is a set of
19 programs that runs and it does the filter. No
20 one knows -- Operation Sunrise does not track
21 the origin of orders.

22 Q. Okay. I just want to be clear and

23 be accurate. You said Operation Sunrise again

□0085

01 versus personnel in the MKIS office or group,
02 and that's where I'm confused because I have
03 already asked you the question that the
04 Harmonize database contains orders that have
05 identifying codes allowing you to determine
06 the origins of the orders; is that a yes?

07 A. Yes.

08 Q. And we have also now determined that
09 the temporary Sunrise table contained codes
10 allowing you to identify the origins of the
11 order; correct?

12 A. Correct.

13 Q. Both the temporary Sunrise table and
14 the Harmonize database are within Operation
15 Sunrise?

16 A. No, I meant --

17 Q. Wait; wait; wait. My question is,
18 not what you meant, my question is, is the
19 temporary Sunrise table and the Harmonize
20 database within Operation Sunrise?

21 A. Temporary database is within
22 Operation Sunrise. The Harmonize database is
23 not.

□0086

01 Q. Okay. Earlier in your testimony you
02 made a distinction between a Harmonize
03 database being within Operation Sunrise but
04 the Harmonize feed not being within Operation
05 Sunrise. Are you now changing your testimony?

06 A. I don't remember what I said. The
07 fact is is that Operation Sunrise in my view
08 and in this testimony starts with the
09 temporary database, and Operation Sunrise, the
10 feed that comes -- weekly feed out of
11 Harmonize. That Harmonize database -- that
12 Harmonize database is outside Operation
13 Sunrise.

14 Q. You just said that your testimony
15 starts with the temporary Sunrise table. I
16 thought I had you begin on page ten, lines
17 eight through 15 where we began discussing the
18 Harmonize feed and that the Harmonize
19 database, quote, on line 14 and 15 is separate
20 from the Sunrise database on SIW?

21 A. Right, Harmonize database is
22 separate from the Sunrise database.

23 Q. Okay. Well then, let me ask you

□0087

01 this question, would you admit that the
02 temporary sunrise table is within Operation
03 Sunrise?

04 A. Yes.

05 Q. Okay. So it would not be correct to
06 say that Operation Sunrise does not know at
07 some point in time the origins of an order?

08 MR. MEZA: Objection, argumentative.

09 MR. CRUZ-BUSTILLO: Answer the
10 question.

11 A. Operation sunrise at that point in
12 the temporary table has disconnect reason code
13 information in it.

05 anymore.
 06 Q. And CO
 07 A. CO is
 08 reason code, yes
 09 Q. And ca
 10 again before I a
 11 stands for?
 12 A. "Compe
 13 the consumer bus
 14 Q. I am n
 15 been Bates stamp
 16 it's Bates stamp
 17 I -- before I ge
 18 generated, I thi
 19 side at BellSout
 20 A. To my
 21 Q. Okay.
 22 first. I'm goin
 23 first sentence a

0091

01 MR.
 02 Q. Could
 03 begins with the
 04 MR.
 05 you point it to
 06 A. "Note,
 07 completed discon
 08 disconnect reaso
 09 receive a mail p
 10 Q. So if
 11 CO would not rec
 12 and if a DCR is
 13 it moves from th
 14 the permanent Su
 15 correct to say t
 16 DCR of CO would
 17 sunrise table?
 18 MR.
 19 question because
 20 document that's
 21 MR.
 22 the question, Mr
 23 A. At the

0092

01 written, CO was
 02 Q. Excuse
 03 A. At the
 04 this document, C
 05 select from the
 06 the permanent ta
 07 Q. Okay.
 08 because I'm not
 09 That first sente
 10 that you do not
 11 order that has a
 12 isn't that corre
 13 A. The ti
 14 correct.
 15 Q. Okay.
 16 updates to the s
 17 document since J
 18 A. No.
 19 Q. Okay.

14 Q. Thank you. Now, let me go back to
 15 my original question before we even went down
 16 this line which was, when you're generating a
 17 lead for an outside vendor, the knowledge that
 18 the person has switched in the last seven days
 19 is -- strike that.
 20 When you're generating a lead, is it
 21 relevant to you who the customer switched to?
 22 A. No.
 23 Q. You just want to know that they

0088

01 switched?
 02 A. Yes.
 03 Q. Thank you. You told me earlier that
 04 for a competitive disconnect generated from
 05 the retail side of BellSouth operations that
 06 the disconnect reason code is a CO; is that
 07 correct?
 08 A. That is one code that could be used,
 09 yes.
 10 Q. What other code could be used for a
 11 customer on the retail side moving from one --
 12 from BellSouth to a competitor?
 13 A. I don't know -- I don't know what
 14 possible codes could be used in their
 15 entirety. I know of one other one that could
 16 potentially be used.
 17 Q. What's that?
 18 A. That code is NF.
 19 Q. And what does NF stand for?
 20 A. No further use.
 21 Q. Okay. So that would be a -- that
 22 would be a complete disconnect?
 23 A. They're all complete disconnects.

0089

01 Q. Okay. But that wouldn't be a switch
 02 to a competitor; isn't that correct?
 03 A. I don't know -- I don't know. All I
 04 know is that it could be used for that
 05 purpose.
 06 (Begin confidential.)
 07 Q. I think I showed you earlier -- I
 08 think I showed you earlier one of
 09 the exhibits. I think I -- I showed you
 10 earlier on Plaintiff's Exhibit Number 5 here
 11 in the Operation Sunrise manual. It's Bates
 12 stamp 001056. This is confidential. Right
 13 here I'm going to show him this here where it
 14 says Sunrise tags again.
 15 A. Uh-huh.
 16 Q. This comes from the Sunrise manual
 17 that you earlier testified that you produced.
 18 A. Yes.
 19 Q. The two codes there for residential
 20 switchers are JL and CO; is that correct?
 21 A. That is correct.
 22 Q. Okay. Are there any other codes
 23 there?

0090

01 A. No.
 02 Q. Is it correct that you testified
 03 that JL is not used anymore?
 04 A. To my knowledge it's not used

20 still be affected. would that be a reasonable
21 conclusion?

22 A. I don't know.

23 Q. Would you do something not in

00093

01 accordance with the procedures set out by you?

02 MR. MEZA: Object to the form.

03 MR. CRUZ-BUSTILLO: Okay. Again,
04 the question still stands.

05 A. No.

06 Q. No?

07 A. I would not do something outside of
08 the procedures set by me.

09 Q. So then if that's the case, you
10 would not send a direct mail piece to an order
11 that contains a disconnect reason code with
12 CO. Would that be correct?

13 MR. MEZA: I'm going to object to
14 the form of the question.

15 MR. CRUZ-BUSTILLO: Answer the
16 question, Mr. Wolfe.

17 A. At the time of this document, we did
18 not send mail pieces.

19 Q. Okay. And now you've just said that
20 you don't act inconsistent with the document;
21 correct?

22 A. I said that.

23 Q. And the document hasn't been

00094

01 modified since June 14, 2001; correct?

02 A. Correct.

03 Q. Thank you. So my question is, if
04 the DCRs are removed from the orders when they
05 move from the temporary Sunrise table to the
06 permanent Sunrise table, and you do not send a
07 mail piece to an order that contains a CO,
08 wouldn't it be reasonable to conclude that
09 orders containing a DCR of CO are filtered out
10 before the records are moved from the
11 temporary Sunrise table to the permanent
12 Sunrise table?

13 A. And you're talking about today?

14 Q. I'm talking about consistent with
15 the procedures that you have now testified
16 remain in effect today.

17 MR. MEZA: Object to the form. He
18 didn't testify to that.

19 A. I did not testify to the fact that
20 this document -- that these procedures are in
21 today. I did testify that this document --
22 there has not been a further document since
23 this time. So your question is, is it today

00095

01 or in the time frame of this document that
02 you're showing me?

03 Q. Mr. Wolfe, just for the record, you
04 said that you act consistent with the
05 procedures; correct?

06 A. Correct.

07 Q. That these procedures have not been
08 modified since June 14, 2001; correct?

09 A. I said the document has not been
10 modified.

11 Q. Okay. So now, you can answer it in
12 both parts. Answer it as of June 14, 2001.
13 would that file, and then I'll get to the
14 second question which is today, let's do June
15 14, 2001, would a file with the disconnect
16 reason code of CO be moved -- be filtered out
17 from the temporary Sunrise table and not be
18 moved to the permanent Sunrise table?

19 A. June 14th, 2001, the CO -- any
20 record with a CO disconnect reason code would
21 not have received a mail piece.

22 Q. And we know that all files that end
23 up in the permanent Sunrise table are built --

00096

01 I think the -- built and sent to outside
02 third-party vendors, so if a CO does not
03 receive a mail piece, would it be reasonable
04 to conclude that that file would be filtered
05 out before the file is moved from the
06 temporary Sunrise table to the permanent
07 Sunrise table?

08 MR. MEZA: Object to the form.

09 A. At the time, June 14th, 2001 --

10 Q. Yes.

11 A. -- a CO disconnect code would have
12 been in the permanent table and would not --
13 mail pieces would not have been sent out for
14 that record.

15 Q. Okay. Well, let me ask you, you
16 have testified and others have testified in
17 rebuttal testimony that disconnect reason
18 codes are eliminated from files that populate
19 the permanent Sunrise table. So if a file
20 with a disconnect reason code of CO made it to
21 the permanent Sunrise table, are you now
22 telling me that the disconnect reason codes
23 are not filtered out, that they remain on that

00097

01 file populating the permanent Sunrise table?

02 A. The process today --

03 Q. No, let's go back to June 14, 2001,
04 first and then we'll go to today.

05 A. June 14, 2001, the CO disconnect
06 reason code was on the permanent Sunrise table
07 and we did not send a mail piece.

08 Q. Okay. So June 14, 2001, disconnect
09 reason codes did appear on records that
10 populated the permanent Sunrise table. Is
11 that what you're saying?

12 A. According to this document, JL, CO,
13 and others were in the permanent Sunrise
14 table.

15 Q. Okay. Let's jump to today now
16 because your personal knowledge, you weren't
17 there in June 2001 even though you drafted
18 this document. Well, let me ask you
19 something, were you there in June 2001?

20 A. Yes.

21 Q. Did you work with Michelle Summers?

22 A. She was my -- yes, I did.

23 Q. Okay. But it was your

00098

01 responsibility to deal with the day-to-day

02 mechanics of exporting the files, building
03 them and sending them to third-party marketing
04 vendors; is that correct?

05 A. My group did that, yes.

06 Q. So you were there in June 2001?

07 A. I was.

08 Q. Let me go back to June 2001 for a
09 second. Were the orders that originated from
10 a CLEC service order, did they also contain
11 their disconnect reason codes when they
12 populated the permanent Sunrise table?

13 MR. MEZA: In June of 2001?

14 MR. CRUZ-BUSTILLO: Uh-huh; yes.

15 A. Okay. Say that one more time. Let
16 me make sure I get it right.

17 Q. My pleasure. In June 2001, you have
18 now said that a service order with its origins
19 and BellSouth's retail division kept its CO
20 when it populated the permanent Sunrise table.
21 When that file hit the permanent Sunrise
22 table, it still had a little CO. My question
23 is, for all those orders that originated from

00099

01 a CLEC service order, did they also contain
02 their BR, RT, BC, whatever those codes were?

03 A. The disconnect reason codes --
04 competitive disconnect reason codes June 14,
05 2001, were JL or CO.

06 Q. So if they were JL, you knew that
07 they had their origins from competitive
08 service orders, CLEC service orders?

09 A. Yes.

10 Q. Okay. And when they populated the
11 permanent Sunrise table, you could see CO or
12 JL; correct?

13 A. Correct.

14 Q. At what point did you stop using the
15 JL?

16 A. August 2001.

17 Q. Okay. And you replaced it with BR,
18 RT -- I don't want to mischaracterize it --
19 BC, those codes?

20 A. No.

21 Q. Well, let me ask it in two parts.
22 Was JL replaced upstream Harmonize database,
23 temporary Sunrise database? Was the JL

00100

01 replaced with the BR, BC, RT?

02 A. JL was not replaced.

03 Q. Well, the disconnect reason codes I
04 thought earlier in your deposition here we
05 established were those BR, BC, BF. Those are
06 electronically generated by either the OSS or
07 the LCS; is that correct?

08 A. That's correct.

09 Q. And if we're not using JL anymore,
10 is it incorrect for me to conclude that JL was
11 replaced with these other new codes?

12 A. What do you mean by replaced?

13 Q. What do you think I mean by
14 replaced?

15 MR. MEZA: Object to the form.
16 You're asking him to guess what you think

17 replace means.

18 MR. CRUZ-BUSTILLO: Well, Mr. Meza,
19 I just want to use his definition because
20 later on I don't want --

21 MR. MEZA: Right.

22 MR. CRUZ-BUSTILLO: Give me your
23 interpretation of my question, then ask for a

□0101

01 clarification, and what do you think I mean
02 and we'll move from there.

03 MR. MEZA: I object to the form of
04 the question. Go ahead.

05 A. When you say replaced, it sounds to
06 me like we took a JL code and replaced it with
07 a BR or a BC.

08 Q. Okay. Then let me ask it a
09 different way. Not did you replace it. JL is
10 no longer being used; correct?

11 A. Correct.

12 Q. We've established that. BR, RT, BC
13 are currently being used, is that correct,
14 upstream?

15 A. Outside of Operation Sunrise, yes.

16 Q. And when you say outside Operation
17 Sunrise, you're talking about the Harmonize
18 database and the Harmonize feed?

19 A. The Harmonize database, the
20 Harmonize feed, and SOCS, yes.

21 Q. And LCSC?

22 A. Yes.

23 Q. Okay. Now, we're back to today and

□0102

01 we're back to the permanent Sunrise table.
02 what we've established is that back on June
03 14, 2001, you would look at the permanent
04 Sunrise table and you would see a record with
05 the CO or JL. Now today, you look at the
06 permanent Sunrise table and you still see a CO
07 in a code or you do not see any disconnect
08 reason codes?

09 A. We do not see any disconnect reason
10 codes.

11 Q. Are you still -- is the -- if there
12 have not been any updates to this procedural
13 manual that you created --

14 A. I don't know if that's the right
15 word.

16 Q. -- that you produced.

17 A. Authored.

18 Q. If there have not been any updated
19 to the document that you've authored, is it
20 your policy today not to send a direct mail
21 piece to a order that has a disconnect reason
22 code of CO?

23 A. Today, that CO code is filtered out.

□0103

01 Q. With respect to a switch for local
02 service that originates from a CLEC service
03 order, or let me go back and further
04 originates from CLEC LSR, going through either
05 LENS or the LCSC, to your knowledge, would
06 there ever be a reason not to assign a
07 disconnect reason code to those orders?

4808
On behalf of BellSouth Communications.

4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

JORGE L. CRUZ- BUSTILLO, ESQ.,
Assistant General Counsel,
on behalf of Supra Telecom.

ALSO PRESENT VIA TELEPHONE:
LINDA DODSON
LEVENT ILERI
EVERETT BROUSSARD
JERRY HALLENSTEIN
CARL VINCENT

I N D E X

WITNESS

DAVID A. NILSON	Page
Continued Direct Examination by Mr. Meza	3

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

3

1 Thereupon:

2 DAVID A. NILSON

3 was called as a witness and, after having been first
4 duly sworn, was examined and testified as follows:

5 CONTINUED DIRECT EXAMINATION

6 BY MR. MEZA:

7 Q. Good morning, Mr. Nilson.
Page 2

8 A. Good morning.

9 Q. Thank you for accommodating my schedule and
10 meeting with me at this deposition, continued deposition
11 today.

12 what did you do in the interim between the
13 conclusion of your deposition on Friday and starting up
14 the depo again today, which is August 26th, in relation
15 to the testimony you're going to give today?

16 A. In regards to this docket in its entirety, I
17 did very little except to inquire a little bit about our
18 outbound telemarketing.

19 Q. Okay. And what did you find?

20 A. That cold call that you received is part of a
21 program that's been running for less than 60 days, and
22 we probably got your telephone number -- not probably,
23 we got your telephone number from a list of residential
24 home owners we purchased.

25 Q. And from whom did you purchase the list?

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

4

1 A. I don't have that information.

2 Q. Is the cold call -- outbound cold call program
3 still in place? Are you still doing it?

4 A. To the best of my knowledge, yes.

5 Q. Do you have a more updated list than what you
6 originally used when I was contacted?

7 A. No.

8 Q. Same list?

9 A. Yes.

10 Q. Is the list for just south Florida, or is it
11 for the entire State of Florida BellSouth region?

12 A. I didn't ask that question.

13 Q. Who did you talk to to find out about
14 telemarketing outbound?

15 A. Mr. Lambert.

16 Q. Does your testimony change in light of these
17 new facts relating to Supra's policies relating to CPNI
18 and the education of those policies on those individuals
19 who are responsible for making these outbound
20 telemarketing calls?

21 A. No, only the realization that we do have an
22 outbound telemarketing program at this time, for the
23 first time, probably, since 1997.

24 Q. And are these domestic employees making these
25 calls or international -- or your international folks

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

5

1 making the calls?

2 A. I believe they're international.

3 Q. Okay. Did you ask Mr. Lambert whether or not
4 the international employees were -- or how they were
5 trained relating to the United States CPNI laws?

6 A. Well, I told you in our last conversation that
7 we have one set of common training rules for all
8 employees in the call center operations. They were
9 trained like every other employee --

10 Q. All right.

11 A. -- call center employee.

12 Q. Okay. Can Supra use -- strike that.

13 I believe you testified that Supra does not use
14 the PMAP line loss report to generate a list of
15 potential win-back customers; is that correct?

16 A. That's correct.

17 Q. Could Supra use the PMAP line loss report to
18 identify potential win-back customers?

19 A. Could? I'm not sure I understand what you
20 mean by could, so let me give you a full answer.

21 Q. Sure.

22 A. When we talked the other day, I was under the
23 understanding that we were using PMAP to conclude our
24 billing, to render a final bill to the customers.

25 Q. Yes.

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

6

1 A. That was the other piece of research I did
2 since we last spoke.

3 Q. Okay.

4 A. While we're aware that we could do that, we are
5 actually still closing our bills out based on the
6 BellSouth J bills, so we don't actually have a
7 functioning interface to PMAP for closing out the bills.
8 So when you say "could," I would say there's a technical
9 impediment to being able to do that as part of the
10 answer.

11 If that's not the question, you were asking --

12 Q. Yeah.

13 A. -- then, logically, I think PMAP has the
14 ability to inform us of customers who leave for
15 marketing purposes.

16 Q. I think we were talking on different levels. I
17 was asking you whether or not Supra could use the fact
18 that PMAP notifies Supra that it lost a customer to
19 target that lost customer to come back to Supra.

20 A. Were we to do additional work, we could get
21 that information from PMAP.

22 Q. What additional work do you need? It tells you
23 specifically that you lost a customer, gives you the
24 name, phone number.

25 A. Right, but we don't have people sitting reading

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

7

1 PMAP and making phone calls. We would incorporate that
2 into a system that would then drive an outbound dialer,
3 et cetera, et cetera.

4 Q. I see what you're saying. So what you're
5 saying is that there's not a process currently in place
6 that mechanically allows supra, or electronically, to
7 generate a win-back marketing activity in relation to
8 the PMAP line loss report?

9 A. That's correct. We wouldn't do it manually.

10 Q. Okay. Would you agree with me that all the
11 information that you would need to identify potential
12 win-back customers is contained in the PMAP line loss
13 report?

14 A. I don't know if I could or could not.

15 Q. Well, you would agree with me that the name of
16 the customer is identified, correct?

17 A. Again, like I said, I haven't looked at PMAP in
18 about a year and a half.

19 Q. Let's see if we can refresh your recollection.
20 Are you familiar with Mr. Ruscilli's rebuttal
21 testimony?

22 A. Yes.

23 Q. Did you review it in relation to this

24 proceeding?

25 A. I reviewed it last week.

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

8

1 Q. Okay. Did you see his exhibit identified as
2 JAR-1?

3 A. Yes.

4 MR. MEZA: Okay. I'll show it to counsel to
5 make sure he doesn't have any objection.

6 BY MR. MEZA:

7 Q. And can you identify what this is?

8 A. This is Exhibit JAR-1 to Mr. Ruscilli's
9 testimony, and it has a heading at the top of the page
10 that says, "BellSouth Performance Measurement and
11 Analysis Platform," which is the full name for the
12 acronym PMAP. Further --

13 Q. What's the date -- I'm sorry. I don't mean to
14 interrupt you.

15 A. The date of this, the run day is July 23, 2003,
16 and this happens to be one of many reports in PMAP, the
17 one entitled, "Line loss notification."

18 Q. All right. And do you see the category named
19 OCN or field OCN?

20 A. Right. That's Supra's operating company
21 number.

22 Q. So you're not disputing that this is Supra's
23 line loss notification report as of July 23, 2003, to
24 the best of your knowledge?

25 A. No.

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

1 Q. Okay. And there is -- on the first page of
2 Exhibit JAR-1, there are two categories separated by a
3 line. There's the first category dealing with abandoned
4 station, and the second category dealing with request to
5 transfer.

6 I want to focus on the request to transfer
7 category.

8 A. Yes.

9 Q. You would agree with me that -- well, what is
10 your understanding of a request to transfer to mean?

11 A. Well, according to the heading in the Request
12 to Transfer section, it says that the customer has
13 requested service from another carrier.

14 Q. So they're leaving Supra to go to another
15 carrier; is that correct?

16 A. That's what it says, yes.

17 Q. And you would agree with me that -- and
18 although it's redacted and I understand if you cannot
19 agree with me -- but there are certain category
20 identifiers in the section entitled Request to Transfer
21 dealing with telephone number, correct?

22 A. Uh-huh.

23 Q. The name of the customer and the completion
24 date, which -- what is your understanding of completion
25 date?

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

D

10

1 A. I have none.

2 Q. Okay. Do you have any reason to believe that
3 that is not the date in which the transfer was completed

4 from Supra to another carrier?

5 A. No, not really.

6 Q. So would you agree with me that, at least for
7 the category of lines attributed to the section Request
8 to Transfer, that you get the telephone number and name
9 of a customer that you lost that went to another
10 carrier; is that correct?

11 A. Yes.

12 Q. Okay. Supra paid for the list of numbers,
13 residential numbers, that you referenced earlier in your
14 testimony today; do you know?

15 A. Yes.

16 Q. Why would Supra pay for that information when
17 it can easily just use the PMAP line loss report to
18 identify potential win-back customers?

19 A. Oh, two completely different objectives. The
20 outbound telemarketing program is not a win-back
21 campaign. It's a campaign to acquire new customers that
22 aren't currently Supra customers, and in so doing, the
23 residential homeowner list that we purchased was the
24 ideal list of customers to contact as a first contact.

25 Q. Is your testimony the same today as it was on

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

1 Friday relating to Supra's win-back activities, that
2 it's minuscule?

3 A. Yes.

4 Q. Okay. So nothing that you learned from Mr.
5 Lambert changes your testimony relating to Supra's
6 activities relating to the acquisition of customers that
7 it has lost?

8 A. That's correct. He didn't identify any
9 programs in that regard.

10 Q. Okay. Do you know why Supra doesn't have
11 win-back activities ongoing on a greater scale than what
12 you testified?

13 A. Well, it might actually be an issue of
14 manpower.

15 Q. Do you know for a fact, or are you just
16 speculating?

17 A. Speculating.

18 Q. Do you think it's important in the marketplace
19 that both our companies face today that we acquire --
20 attempt to re-acquire customers who leave Supra to go to
21 another carrier?

22 MR. CRUZ-BUSTILLO: Objection, calls for
23 speculation.

24 THE WITNESS: I don't know.

25 BY MR. MEZA:

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

12

1 Q. You don't know? You don't think that that's
2 important?

3 A. Again, if you want me to give you my opinion?

4 Q. Yeah.

5 A. I would -- I would think that customers that
6 had a bad experience, had a known bad experience should
7 definitely be contacted.

8 Q. Supra doesn't do that?

9 A. To the extent that we have had correspondence
10 with customers or Public Service Commission complaints,
11 yes, we do try to work with the customers in those

12 areas.

13 As far as having an organized program to go
14 back and identify customers that we lost to contact
15 them, that's not -- that's not been a very large part of
16 what we do.

17 Q. Okay. would you agree with me that the FCC has
18 characterized retention marketing efforts as being
19 something different than win-back efforts?

20 A. Well --

21 Q. And that's a pretty bad question, so I'm going
22 to object upon myself and try it again.

23 would you agree that the FCC has described
24 retention marketing differently than win-back
25 marketing?

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

D

13

1 A. I would agree that, in some cases, they
2 described it identical to win-back, and in other cases
3 they've made separate rules.

4 Q. Okay. well, let's talk about your
5 understanding of retention marketing, when that occurs.

6 A. Before the customer -- let's say the customer
7 is a BellSouth customer. Retention marketing is what
8 you would do to prevent that customer from becoming a
9 supra customer or becoming a customer of another ALEC.

10 Q. And do you disagree with that definition of
11 retention marketing or retention efforts?

12 A. No.

13 Q. So would you agree with the statement that the
14 concept of win-back can be divided into two distinct
15 types of marketing, marketing intended to either, one,

16 regain a customer or, two, retain a customer? Do you
17 agree with that?

18 A. Again, like I said, in reading the FCC orders,
19 there's pieces of retention marketing that are identical
20 to win-back rules, and there's pieces that are
21 separate. If you look hard enough, you can make both
22 cases.

23 Q. Right, but you would agree with, fundamentally,
24 they are two separate concepts?

25 A. Predominantly because, in one case, the

□ OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

14

1 customer hasn't left, and the other case, the customer
2 has left.

3 Q. And your position is that if you read the
4 rules, that some of the rules or all of the rules are
5 the same for retention and win-back; is that your
6 position?

7 A. Can you repeat that, please?

8 Q. And your position is that some of the rules, or
9 maybe even all of the rules, are the same for both
10 retention and regaining?

11 A. I said there's places where the rules are the
12 same. There's places where the rules are different.

13 Q. So you do agree that there are some different
14 rules for retention marketing activities versus win-back
15 marketing activities?

16 A. Certainly, in win-back you have a prohibition
17 on not contacting the customer within a certain time
18 frame.

19 Q. Okay. Do you have any reason to believe that

20 when the FCC used the phrase "retention," that it's
21 talking about something other than what it has defined,
22 and I'm going to show you the specific paragraph --

23 A. That would help.

24 Q. -- of FCC Order 99-223, issued on September
25 3rd, 1999, Paragraph 65, and I've highlighted for you

0 OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

15

1 what I think to be the relevant provision, but feel free
2 to read as much as you want.

3 MR. CRUZ-BUSTILLO: Okay. Look at the
4 highlighted portion and all of 65.

5 THE WITNESS: I've read it.

6 BY MR. MEZA:

7 Q. Do you have any reason to believe that when the
8 FCC uses the word "retention," that it's referring to
9 something other than the situation that's defined in
10 Paragraph 65, which is marketing activities to a
11 customer prior to the complete conversion of that
12 customer to a competitor?

13 A. No.

14 Q. Okay.

15 A. What this does, this shows the example where
16 win-back is used to talk about both situations, but then
17 they prohibit themselves from using win-back further to
18 talk about retention, which is the confusing aspect.

19 Q. Yeah, but you would agree with me that when the
20 FCC uses the word "retention," it's talking specifically
21 about the situation where a customer is in transition, a
22 pending order situation --

23 A. Uh-huh.

24 Q. -- where they've submitted an order to switch
25 to Supra, BellSouth has yet to complete that conversion

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

16

1 order, and BellSouth initiates some marketing activities
2 while that conversion order is pending?

3 A. Well, I agree that's a piece of retention, but
4 I think that's a subset of the entire retention issue.

5 Q. Well, what else is retention?

6 A. Well, I'm not clear that, in that definition,
7 that they include a prerequisite of having already
8 received a CLEC order to convert. I know that was
9 discussed in the FPSC case, but I don't see it mentioned
10 in that particular paragraph.

11 Q. So what you're saying is that retention isn't
12 necessarily triggered by a CLEC LSR? It's just any
13 attempt to persuade the customer not to switch?

14 A. As I understand that paragraph, in that
15 paragraph, they're talking about the broad scale of
16 retention, which would include any activities you
17 undertake while the customer is yours --

18 Q. Okay.

19 A. -- and not that limited subset that happens
20 after a CLEC LSR.

21 Q. I don't think we have a disagreement on that.

22 Is it Supra's position in this case that
23 BellSouth is using pending orders to trigger marketing
24 activities through Operation Sunrise?

25 A. I'm not certain if we made that claim or not.

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

17

1 Q. Okay. Today, do you know if Supra is
2 contending -- are you contending that BellSouth uses
3 pending orders in sunrise?

4 A. I don't think we made that claim. I don't
5 think that's our position at this point.

6 Q. So you would agree with me that sunrise relates
7 to completed disconnect or service orders --

8 MR. CRUZ-BUSTILLO: Objection to form.

9 MR. MEZA: -- in the acquisition?

10 MR. CRUZ-BUSTILLO: Objection to form, because
11 they are pending at some point in the whole operation
12 when they reach the bottom. Since we are in agreement
13 on what happens, it's pending somewhere upstream, and
14 downstream they become -- they are not used until --

15 MR. MEZA: I'll rephrase it.

16 BY MR. MEZA:

17 Q. The leads that are generated from the Permanent
18 2, would you agree with me that those leads were the
19 result of completed disconnect orders, as far as you
20 understand the sunrise operation process?

21 A. Well, that's been the testimony I heard, and my
22 only problem with saying it unequivocally as to that is
23 that it's not always been clear what specific mechanism
24 considers an order completed in order to move it in the
25 sunrise table.

0 OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

18

1 I know we questioned Mr. Wolfe on that. I
2 wasn't particularly clear at what stage in the process
3 of an order it's considered completed. For example, as
4 you may know, an order can be physically completed and

5 then still run into clarifications after the physical
6 work is done, due to billing errors, post-completion
7 hold errors.

8 This is what comes to mind, and so I'm not real
9 clear if the Sunrise system escapes that kind of problem
10 or whether it would include it.

11 Q. But you're not contending that we are using
12 pending orders?

13 A. I don't see that it's your intent to use
14 pending orders.

15 Q. Okay. Now, Friday you testified that it's your
16 belief, based upon hearing Mr. Wolfe's testimony, that
17 Sunrise is capturing CLEC-to-CLEC orders, CLEC-to-BST
18 orders, and conversion orders from resale to UNE-P?
19 Remember that?

20 A. I remember we had that discussion.

21 Q. Okay. And I just want to make sure that I
22 understand that your understanding of why -- the basis
23 for your understanding is based on Mr. Wolfe's
24 testimony; is that correct?

25 A. Mr. Wolfe's testimony and the documents

 OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

19

1 produced in discovery.

2 Q. Which specific documents? Are you relating to
3 the R-5 discovery or the discovery that BellSouth
4 produced in this proceeding?

5 A. Well, certainly the R-5 discovery as it related
6 to sunrise work orders.

7 Q. And do you know what --

8 A. And that those being the most recent.

9 Q. Do you know -- that wasn't attached to your
10 testimony as an exhibit, was it?

11 A. No, these were specifically the more recent
12 exhibits that were used in Mr. Wolfe's deposition.

13 Q. Okay. Anything else from your exhibits or from
14 your testimony or from something that we've produced to
15 supra in discovery in this proceeding?

16 A. Let me check my exhibit list --

17 Q. Sure.

18 A. -- because there's several exhibits to my
19 testimony that -- do you have a copy of my testimony
20 that's not missing the exhibit list pages?

21 Q. I do. I have marked some Xs on ones that --

22 MR. CRUZ-BUSTILLO: Yeah, don't worry about it.

23 MR. MEZA: -- the ones I didn't like.

24 MR. CRUZ-BUSTILLO: The ones you didn't like.

25 What was this one?

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

20

1 THE WITNESS: Which one?

2 MR. CRUZ-BUSTILLO: What was this one about?

3 THE WITNESS: This one?

4 MR. CRUZ-BUSTILLO: Yeah.

5 THE WITNESS: That's --

6 MR. MEZA: Okay. Hold on. I don't think it's
7 proper for you to ask him questions in the pending --

8 MR. CRUZ-BUSTILLO: All right.

9 THE WITNESS: I would say Exhibits DAN-6, 7, 8
10 and 9 form the basis to -- although some of those are
11 older than the exhibits I referred to.

12 One point, though. When we started this line
Page 17

13 of questioning, you referred to statements that I made
14 regarding what Sunrise acts on. As I recall, when we
15 talked Friday, we were talking about records getting
16 into a table as opposed to Sunrise actually operating on
17 those records, because I made different testimony
18 regarding, for example, the case where customers switch
19 back to BellSouth. I said it was obvious that Sunrise
20 wouldn't send mailings on that.

21 BY MR. MEZA:

22 Q. But you didn't make that distinction for the
23 CLEC-to-CLEC migration, or did you?

24 A. I'm not sure if you asked me.

25 Q. I'm asking you now, then. Did Sunrise use

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

0

21

1 CLEC-to-CLEC migration order information, to the best of
2 your knowledge?

3 A. Well, again, the answer to that would be based
4 on exactly what DCR code was assigned to that. If
5 that -- if that CLEC-to-CLEC migration is assigned a
6 competitive disconnect code by the LCSC, then it would
7 make its way all the way through the Sunrise table,
8 unlike the move back to BellSouth, which would only make
9 it as far as a temporary table and be purged at that
10 stage.

11 Q. Okay. So if the CLEC-to-CLEC order contained a
12 disconnect reason code of RR, do you know what that
13 is -- or TR?

14 MR. CRUZ-BUSTILLO: I think it's RT.

15 BY MR. MEZA:

16 Q. RT, what is that?

17 A. RT, it's a reseller transfer, I believe.

18 Q. And --

19 A. And if I recall, that's one of the DCRs that's
20 purged out of the temporary table.

21 Q. All right. Who wrote your direct testimony?

22 A. I did.

23 Q. I notice that in describing your work
24 experience, you didn't reference any experience relating
25 to federal or state CPNI laws or rules; is that

□ OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

22

1 accurate?

2 A. I'm not real clear on what credentials I could
3 claim, or anyone could claim in that regard. I mean,
4 there's a law, and I'm not aware of any degree or
5 educational program to become accredited in that
6 regard.

7 Q. So the answer to my question is, no, you didn't
8 reference any specialized training regarding CPNI in
9 your background or experience?

10 A. That's correct.

11 Q. Do you consider yourself an expert on federal
12 CPNI law?

13 A. I don't know what one has to do to be
14 considered an expert in that regard.

15 Q. Okay. Do you believe that the Commission
16 should believe your interpretation over Mr. Ruscilli's
17 interpretation or my interpretation of how the CPNI laws
18 should be applied to the facts in this case?

19 A. Why, certainly.

20 Q. And what is the basis for that belief?

21 A. The testimony I make is consistent with the
22 rules and the regulations.

23 Q. Based upon your understanding of those rules
24 and regulations?

25 A. That's correct.

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

23

1 Q. Starting on Page 6, you have a caption or a
2 heading entitled "OSS Overview," and I know that on
3 Friday we cleared up some issues relating to what I
4 perceived to be indirect claims Supra was making in
5 relation to the different interfaces Supra uses compared
6 to the retail side, but, in general, where did you
7 obtain your understanding of BellSouth's OSS?

8 A. In terms of the OSS, obviously, I received
9 input from many places, largely from BellSouth public
10 documents, BellSouth documents that were provided in
11 discovery, training programs that I took with other
12 ILECs, the experience of actually placing orders or
13 processing orders with the BellSouth system and seeing
14 the results of the system, and the testimony of various
15 BellSouth witnesses and experts, both in the OSS dockets
16 before the FPSC and in various Supra dockets, as well.

17 Q. So would you agree with me, or would it be a
18 fair characterization to say that the majority of your
19 understanding of BellSouth's OSS came from BellSouth
20 documents or BellSouth testimony?

21 A. Yes, I think it makes perfect sense that when
22 we are discussing proprietary software, that the people
23 who wrote the software are the people that would tend to
24 have the documentation to explain it.

25 Q. From Pages 6 to 14, can you identify any

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

24

1 portion of your testimony that did not come from
2 BellSouth or a document provided by BellSouth, and I
3 want you to stop at 11. not 14. I'm sorry.

4 A. On the top of Page 7 where I point out the
5 distinction without a difference -- that it's my opinion
6 that it's a distinction without a difference having
7 computers view information that human beings are
8 prohibited from viewing.

9 Q. What line are you at on Page 7?

10 A. Line 1.

11 Q. Where do you see this distinction without a
12 difference reference on Page 7? We're looking at the
13 same testimony?

14 A. Let me look at your version. Maybe I have an
15 old --

16 MR. CRUZ-BUSTILLO: I'm just looking at Page 7.

17 THE WITNESS: I'm sorry. I'm in my rebuttal
18 testimony. You're in the direct testimony?

19 BY MR. MEZA:

20 Q. Yeah, from Page 6 to 11.

21 A. Sorry.

22 Q. That's okay.

23 A. I'm in the wrong document.

24 Okay. Page 6, Lines 13 through 21 is my
25 description or my attempt to put into play the language

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

25

1 the way the pieces of BellSouth's OSS fit together.

2 Q. But before you move on, you would agree with me
3 that your interpretation is based upon documents or
4 testimony that BellSouth originally provided to you?
5 You don't have this independent knowledge of BellSouth's
6 OSS?

7 A. Not as it refers to these lines. We'll get to
8 that more. I would tend to agree pretty much in regards
9 to Page 7, except I'll make mention of the fact that
10 BellSouth is not the only ILEC that uses CRIS and SOCS,
11 and I am personally familiar with some of the people
12 that wrote the code for CRIS, as you are, the
13 consultants at Engle, so what I've learned about CRIS is
14 also from what they told me went into CRIS when it was
15 first constructed.

16 Q. Okay.

17 A. Certainly LFACS and COSMOS are fairly industry
18 standard things, and I've learned not only from
19 BellSouth but from Telcordia, the author, and from
20 Southwestern Bell Telephone through training programs
21 there.

22 I would say the rest of Page 8, I would agree
23 with you on.

24 What I know about EDI comes from quite a large
25 number of other companies, both ILECs, software

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

26

1 companies that have implemented EDI, and standards
2 organizations.

3 EDI is a fairly industry-neutral standard that
4 has had telecommunications customizations placed upon

5 it, so understanding EDI is not strictly a BellSouth
6 issue.

7 Q. What about TAG?

8 A. What I know about TAG itself is from BellSouth.
9 What I know about CORBA comes from other sources. CORBA
10 is largely the -- I like to think of it as the UNIX
11 version of Active-X controls under Windows. It's a
12 standard by which objects are transportable between
13 software systems.

14 Obviously, my familiarity with the Telcordia
15 LSOG and ASOG come from those documents themselves and
16 not from BellSouth, as does RSAG.

17 Over on Page 10 where I get into paper orders,
18 starting on Line 9, paper LSRs are more nearly industry
19 standard, although, obviously, the specific local
20 customizations to the LSOG that BellSouth makes I
21 learned from BellSouth, because you do it differently
22 than Southwestern Bell and differently than Verizon does
23 the same thing.

24 Q. I'd like you to stop on Line 14.

25 A. I'm sorry?

QUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

0

27

1 Q. Stop on Line 14 on Page 11.

2 A. Okay.

3 Q. Okay. So I think -- make sure I understand
4 what you're -- what you just testified to is that the
5 information that you set forth on Pages 6 to 11
6 describing BellSouth's OSS either came from BellSouth
7 itself or industry standards or descriptions that you've
8 come across in your employment at supra?

- 9 A. Or from using the systems themselves.
- 10 Q. Or from using the systems?
- 11 A. Yes.
- 12 Q. Okay. Now, on Page 10, Lines 9 to 10, you
13 state, "Paper orders are required for virtually all
14 services except POTS."
- 15 A. Yes, sir.
- 16 Q. What's the basis for that statement?
- 17 A. BellSouth business rules.
- 18 Q. Okay. And what services specifically are paper
19 orders required?
- 20 A. All complex services, anything from a simple
21 two-wire ISD and BRI, PRI, T-1 service. Like I said,
22 virtually any service that's not POTS service results in
23 a complex order which is involved with the servicing
24 inquiry, paper service inquiry, followed by an order.
- 25 Q. What percentage of supra's orders are required

0 OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

28

- 1 to be submitted manually? Another way to say it, are
2 not regular POTS lines?
- 3 A. Well, those two statements don't accurately
4 track.
- 5 Q. Okay. Answer the first one.
- 6 A. I don't have a precise number on the number of
7 orders that are required to be submitted manually. We
8 predominantly issue POTS orders, greater than 99
9 percent. That doesn't necessarily mean that every POTS
10 order we issue can be submitted electronically. We get
11 into situations oftentimes with customer billing where
12 the LCSC tells us to submit a paper order.

13 Q. Okay. Well, let me see if I can clarify the
14 question. For those business rules that you're
15 referencing on Page 10, Lines 9 through 10, which
16 require manual submission of an order, is it your
17 testimony that that applies to less than one percent of
18 Supra's orders?

19 A. For which category now?

20 Q. I'm trying to understand the magnitude of your
21 statement that paper orders are required for virtually
22 all services except POTS, and you testified that Supra
23 submits, over 99 percent of the time, POTS orders.

24 A. That's correct.

25 Q. Okay. No further questions on that.

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

29

1 Go to Page 14, Lines 25 through 29, following
2 on Page 15, Lines 1 through 4, and let me know when
3 you've had a chance to read it.

4 A. All right.

5 Q. Okay. What is the basis of your knowledge
6 regarding the --

7 A. Exhibit DAN-17 to my direct testimony.

8 Q. And what did you mean in saying, and I quote,
9 "Thus, a common TCP/IP over Ethernet connection serves
10 to provide access to" -- I have a typo here. Is it LL
11 or all?

12 A. All.

13 Q. -- "all BellSouth's OSS is directly via BOSIP.
14 All that is needed is a simple, common Ethernet jumper
15 wire between existing TCP/IP LAN and the router in
16 BellSouth's data center connecting to the BOSIP network

17 to a connection."

18 what does that mean?

19 A. That really comes from the quote or the cite
20 immediately above it, and what that means is that,
21 within your data processing infrastructure, you have
22 essentially two data communication regimes. Your newer
23 systems are all connected together via TCP/IP over
24 Ethernet, things like LENS, TAG, et cetera.

25 Your older systems, which execute on

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

30

1 mainframes, use a mainframe communication protocol
2 that's known as bisynchronous, also known as 3270, is
3 the actual specification for that protocol, something
4 I've done a lot of work with in the past.

5 In order to facilitate communications between
6 the older data communication regime and the newer data
7 communication regime, you guys have installed Hewlett
8 Packard 7050 computers essentially as protocol
9 translators between the two networks in such a fashion
10 that a work station sitting on the TCP/IP over Ethernet
11 system can easily and simply access the older
12 bisynchronous protocol systems operating on the
13 mainframe by running through one of these gateway
14 translators and accessing the data, you know, if the
15 security allows for it.

16 Q. Okay. And is this statement in reference to
17 your testimony relating to the different interfaces that
18 CLECs use and BellSouth's retail side uses to submit
19 orders?

20 I mean, I'm trying to understand why this is

21 even in here.

22 A. You built a platform that allows things like
23 sunrise to be added to it in a relatively simple
24 fashion, provided it's authorized to do so.

25 Q. So it's not related to your testimony preceding

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

31

1 that, in relation to -- or following that testimony in
2 relation to the different ordering mechanisms that you
3 believe provide discriminatory or don't provide
4 nondiscriminatory access?

5 A. Like I told you Friday, we differ on that
6 matter, but I'm not raising it here. This paragraph is
7 here simply to show how relatively easy it is, using
8 modern architecture, which I would consider to be TCP/IP
9 over Ethernet, to not only talk to the BellSouth new
10 systems that were built on that protocol, but actually
11 get access to the mainframe systems so that a system
12 like Sunrise can be put together simply using modern-day
13 programming tools and not have to delve into the
14 intricacies of interfacing the mainframes, because
15 you've done that on a global -- on a network basis.

16 Q. Why is that important for you to point out,
17 the fact that it's easy to establish something like
18 Sunrise?

19 A. Well, it chose the ability of a system like
20 Sunrise to get access to all the various data that it
21 needs to do its operation.

22 Q. Okay.

23 A. There's no real technical impediments to
24 accessing records from any system.

25 Q. In your opinion?

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

32

1 A. Well, yeah, that is something I've done for the
2 last 30 years.

3 Q. I'm not challenging your opinion. I'm just
4 saying it's based upon your opinion?

5 A. Yes.

6 MR. MEZA: Jorge, can we take a five-minute
7 health break?

8 MR. CRUZ-BUSTILLO: Sure, absolutely.

9 (Thereupon, a recess was taken.)

10 BY MR. MEZA:

11 Q. Mr. Nilson, are you familiar with CARE data?

12 A. I am.

13 Q. Does Supra receive CARE data?

14 A. Well, we certainly -- we certainly generate
15 input into the CARE system, and we receive, as I
16 understand it, a report on paper of PIC and LPIC
17 changes, which, while it represents CARE information,
18 I'm not 100 percent sure if it's fully CARE data, but
19 for all intents and purposes, we get the information.

20 Q. So when you lose an LPIC customer, you're
21 notified of that? Do you agree with that statement?

22 A. No, I don't.

23 Q. You don't? You don't get notified?

24 A. To the best of my knowledge, we have no LPIC
25 customers. All of our customers that subscribe, for

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

33

1 example, to Total Solution, we subcontract that from
Page 28

2 BellSouth, CIC Code 94-17.

3 Q. What about -- I'm sorry. I didn't mean to
4 interrupt you.

5 What about your basic retail line customers who
6 make an inter-LATA toll call? Are you saying that Supra
7 is never the inter-LATA toll provider?

8 A. Up until today, as we speak, I think that's a
9 true statement, yes. Supra has never been the
10 inter-LATA provider.

11 Q. Do you know if CARE data -- that if a customer
12 in switching their LPIC also switches their local
13 service provider at the same transaction, if CARE data
14 provides notification of both the loss of the LPIC and
15 the loss of the customer on the local side?

16 A. Say that again.

17 Q. Okay. Let's take -- for instance, let's use a
18 third party that's not involved in this case, an AT&T
19 local customer.

20 A. Okay.

21 Q. AT&T is also the LPIC for the customer.
22 AT&T -- the customer switches both local service and
23 LPIC to another carrier. Would the CARE data relating
24 to that transaction reflect the loss relating to the
25 LPIC and the local service or just the LPIC?

0 OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

34

1 A. Both. As a matter of fact, at one time, I
2 would say going back to the June, July, August 2000 time
3 frame, we had serious problems and a rash of Public
4 Service Commission complaints because when we would
5 convert a customer from BellSouth retail to Supra,

6 BellSouth's CARE system would send a message to, in your
7 example, AT&T saying that the customer was no longer a
8 BellSouth customer.

9 What would happen then is that customer would
10 lose any preferential pricing plans they had and
11 essentially be reduced to being billed at a casual
12 calling rate, even though they may have built up a
13 preferential discount over 40 years of service.

14 So yes, the notices -- the notices that a
15 customer leaves one carrier for local service are
16 included in CARE. At one time, those notices were a lot
17 noisier than they are now and you had to back off on
18 that because it was causing complains and problems.

19 Q. Okay. Do you have a problem with the fact that
20 CARE tells BellSouth that it lost an LPIC customer and a
21 local service customer?

22 A. Well, BellSouth already knew. I mean, first,
23 they knew because we issued the LSR.

24 Q. I'm saying --

25 A. And then the LSR flowed into CARE.

 OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

35

1 Q. Okay.

2 MR. CRUZ-BUSTILLO: Objection to the fact
3 that --

4 THE WITNESS: And then CARE got published.

5 BY MR. MEZA:

6 Q. Let me characterize -- rephrase my question to
7 state it another way.

8 Does Supra believe that the retail side is
9 notified through CARE that it lost an LPIC customer and

10 a local service customer?

11 MR. CRUZ-BUSTILLO: Objection to form. Who on
12 the retail side?

13 MR. MEZA: The retail side, the marketing
14 department on the retail side --

15 MR. CRUZ-BUSTILLO: Marketing.

16 BY MR. MEZA:

17 Q. -- is notified from CARE that BellSouth lost an
18 LPIC customer and a local service customer.

19 Is that notification violative of any CPNI
20 laws?

21 A. You're saying when the customer was a BellSouth
22 retail customer?

23 Q. And LPIC.

24 A. And using BellSouth for LPIC?

25 Q. Yeah.

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

0

36

1 A. Then they -- and they get notified that both
2 those situations changed --

3 MR. CRUZ-BUSTILLO: Talking about -- finish,
4 Dave.

5 THE WITNESS: -- as a result of that --

6 BY MR. MEZA:

7 Q. Transaction.

8 A. -- transaction?

9 Okay. I understand the situation now. What
10 was the question?

11 Q. The question is, in that situation, is the
12 notification through the CARE data to BellSouth's retail
13 side in the marketing department violative of CPNI law?

14 A. Yes.

15 Q. Why?

16 A. Because that information is the result of a
17 wholesale order, and by FCC Order 03-42, Paragraph 28,
18 you're not allowed to use any information derived from a
19 wholesale order in any carrier marketing activity at
20 all. So yes, I have a problem with that.

21 Q. Is it also your opinion that if we were just
22 notified of the loss of an LPIC customer, that that is
23 also violative of CPNI law?

24 A. Who's "we"?

25 Q. BellSouth.

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

D

37

1 MR. CRUZ-BUSTILLO: Who at BellSouth?

2 MR. MEZA: BellSouth retail.

3 MR. CRUZ-BUSTILLO: But who at BellSouth
4 retail?

5 THE WITNESS: I mean, are we talking about the
6 cessation of billing through CRIS, or are we talking
7 about marketing efforts?

8 BY MR. MEZA:

9 Q. CARE data. CARE data telling BellSouth retail
10 that they lost an LPIC customer.

11 MR. CRUZ-BUSTILLO: Objection to form.

12 THE WITNESS: You know, I mean, that's a very
13 broad question. It includes areas of BellSouth where I
14 think they're entitled to get that information, and it
15 includes areas from BellSouth where I think they're not
16 entitled to get that information.

17 BY MR. MEZA:

18 Q. All right. BellSouth's marketing?

19 A. Not entitled.

20 Q. Under an LPIC notification?

21 A. Not when it comes from competitive disconnect,
22 no.

23 Now, the fact of the matter is, in Supra's
24 case, chances are when we take that customer from
25 BellSouth, you're going to get -- you're actually going

QUELETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

38

1 to get an LPIC win, not an LPIC loss. In better than 63
2 percent of the time, you're going to get a win, not a
3 loss, but that's a side issue, because all of our Total
4 solutions customers are LPIC to BellSouth.

5 Q. Why is that?

6 A. That's the way we implement the product.

7 Q. Do you ask them if they want to be BellSouth
8 customers?

9 A. No, a Total solution customer is a BellSouth
10 local toll customer, period. That's the way the product
11 is implemented.

12 Q. How can you make that decision for the
13 customer?

14 A. That's what the product is.

15 Q. Okay.

16 A. The customer can choose to have a different
17 carrier, but they can't get the same rate plan.

18 MR. CRUZ-BUSTILLO: They're a local --

19 MR. MEZA: I don't want your explanation,
20 Jorge. Thank you.

21 MR. CRUZ-BUSTILLO: All right.

22 BY MR. MEZA:

23 Q. Okay. Go to Page 17, Lines 1 and 2. Where in
24 the key customer order, and when I refer to the key
25 customer order, I'm referring to Order Number

0 OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

39

1 PSC-03-0726-FOF-TP, does the Florida Public Service
2 Commission state that BellSouth must use, quote,
3 commercially available information in a form available
4 throughout the retail industry?

5 I'm handing you the order now.

6 A. It would be between Pages 44 and 48, if memory
7 serves me right.

8 MR. CRUZ-BUSTILLO: I'm pointing out the page
9 number, that's all.

10 (Discussion off the record.)

11 THE WITNESS: I would say it begins --

12 BY MR. MEZA:

13 Q. Now, specifically let me ask my question. I'm
14 quoting your direct testimony where they say, quote,
15 BellSouth must use commercially available information in
16 a form available throughout the retail industry.

17 A. I don't see any quotes in my answer.

18 Q. I'm quoting you.

19 A. Okay.

20 Q. They must use commercially available
21 information in a form available throughout the retail
22 industry.

23 Where is that specific sentence found in the
24 key customer order?

25 A. Okay. Once again, bottom of Page 46, top of
Page 34

1 Page 47, where the PSC quotes the FCC order 03-42,
2 Paragraphs 27 and 28. It's in Paragraph 27.

3 Q. Paragraph 27, okay.

4 MR. MEZA: Okay. Jorge, I'm going to have to
5 ask you to stop pointing out --

6 MR. CRUZ-BUSTILLO: You're right. For the
7 record, I was pointing to something. Okay, correct.

8 BY MR. MEZA:

9 Q. Here's Paragraph 27. If you can find for me
10 where it says commercially available information in a
11 form available throughout the retail industry.

12 A. Mr. Meza, I've already told you once before, my
13 answer does not use quotation marks to where I'm quoting
14 literally from the document. My answer paraphrases it.
15 So if you're looking for -- I mean, if you're trying to
16 trip me up on one or two words --

17 Q. No, no, I'm not trying to trip you up.

18 A. -- it's not going to happen.

19 Q. I don't see anywhere in Paragraph 27 -- just
20 give me one look at it.

21 A. All right. "We clarified to the extent that
22 the retail arm of an executing carrier obtains carrier
23 change information through its normal channels in a form
24 available throughout the retail industry and after the
25 carrier change has been implemented, such as in

1 disconnect reports, we do not prohibit the use of that

2 information in executing carriers' win-back efforts."

3 Q. Okay.

4 A. "This is consistent with our finding in the
5 second report and order that an executing carrier may
6 rely on its own information regarding carrier changes in
7 win-back marketing efforts so long as the information is
8 not derived exclusively from its status as an executing
9 carrier. Under these circumstances, the potential for
10 anticompetitive behavior by an executing carrier is
11 curtailed because competitors have access to equivalent
12 information for use in their own marketing and win-back
13 operations. We emphasize that when engaging in such
14 marketing, an executing carrier may only use information
15 that its retail operations obtain in the normal course
16 of business. Executing carriers may not at any time in
17 the carrier marketing process rely on specific
18 information they obtained from submitting carriers due
19 solely to their position as executing carriers."

20 Q. Okay. Thank you.

21 A. You want me to stop?

22 Q. Yes.

23 A. There's more, but --

24 Q. And I'm focusing on the phrase that you used in
25 paraphrasing Paragraph 27, "commercially available

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

42

1 information."

2 Are you saying that that's the same as, in your
3 opinion, the same as --

4 A. Let me answer the question.

5 Q. -- available throughout the retail industry?

6 A. I say that commercially available information
7 in a form available throughout the retail industry is
8 the same thing as carrier change information through its
9 normal channels in a form available throughout the
10 retail industry.

11 Q. Okay. Thank you.

12 Now, move on to Lines 9 and 10 of your direct
13 testimony.

14 A. What page?

15 Q. Page 17.

16 A. Okay.

17 Q. What is the basis for your statement that, "In
18 reality, the so-called retail customer disconnects are
19 the result of an ALEC LSR. When Supra wins a customer
20 from BellSouth, BellSouth doesn't know to put in a
21 disconnect order, they receive a conversion order from
22 Supra."

23 What's the basis for that statement?

24 A. When customers -- when customers change
25 carriers, by and large, they notify the acquiring

QUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

43

1 carrier with no further notification to the losing
2 carrier. It's not 100 percent of the time. You will
3 find exceptions to that rule, but in my estimation, it's
4 greater than 98 percent of the time.

5 That's based on what I've heard your witnesses
6 testify to previously, and it's consistent with our
7 experience here at Supra.

8 Q. Okay.

9 A. Most of the time, we don't get prior notice of

10 a loss. The customer is just gone.

11 Q. What do you mean by the statement, "when Supra
12 wins a customer from Bellsouth, Bellsouth doesn't know
13 to put in a disconnect order, they receive a conversion
14 order from Supra"?

15 A. We're specifically talking about a retail CSR
16 putting in a disconnect order with a disconnect reason
17 of CO in this regard. I'm not talking about what the
18 LCSC does through a competitive disconnect. I'm
19 strictly talking about a retail CSR and coding a
20 disconnect with a CO.

21 And the reason for that is very simple -- or
22 the proof of that is very simple. The vast majority of
23 the orders that Supra submits to Bellsouth are change
24 or change as is or change -- switch as is and switch
25 with changes, okay?

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

44

1 Q. Okay.

2 A. Were a retail CSR to put in a disconnect order
3 because of a customer notification, it's not possible to
4 do a change. The line is disconnected. You would have
5 to do new service. So the proof is there.

6 Q. The proof is there supporting your position
7 that the majority of orders come in through your ALEC
8 LSR and not through the retail side? Is that what the
9 proof is?

10 MR. CRUZ-BUSTILLO: Objection to form.

11 THE WITNESS: No, the proof is there based on
12 the vast number of orders we submit to you as switch as
13 is or switch with changes, that there wasn't a prior

14 disconnect in the line done through BellSouth retail.

15 BY MR. MEZA:

16 Q. Okay. Is this statement in relation or related
17 to -- I believe your contention is that in a single C
18 order, no disconnect order is generated? There is no D
19 order?

20 A. This is -- this is my characterization of what
21 volume of orders have a disconnect that's coded by the
22 LCSC as opposed to what volume of orders have a
23 disconnect coded by BellSouth retail CSRs.

24 Q. Is it Supra's position that Sunrise is doing
25 something improperly because it harvests single C change

QUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

0

45

1 orders, rather than disconnect orders?

2 MR. CRUZ-BUSTILLO: Objection to form.

3 THE WITNESS: You know, my answer to that
4 would be look at FCC Order 03-42, Paragraph 28, where it
5 says, "Executing carriers may not at any time in the
6 carrier marketing process rely on specific information
7 they obtained from submitting carriers due solely to
8 their position as an executing carrier."

9 It would be my contention that that applies
10 equally to D & N orders and to single C orders.

11 Now, my testimony in regards to C orders versus
12 D & N orders is essentially in rebuttal to what I've
13 heard BellSouth's position to be prior to this, and
14 particularly the Dick Anderson training videos and Power
15 Point presentations, which make an explicit statement
16 that the D order belongs to BellSouth retail and they
17 have the perfect right to key off of that and making the

18 contention, obviously, in a single C world, that issue
19 is not -- while I don't agree with what Mr. Anderson put
20 in his training, it's far more money in a single C
21 world.

22 BY MR. MEZA:

23 Q. You're not suggesting that BellSouth's OSS
24 treats a D order differently than a single C order
25 relating to the disconnection of service associated with

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

46

1 that order, are you?

2 A. I don't even understand your question.

3 Q. Okay. You're not taking the position that in a
4 single C conversion order, there isn't -- BellSouth's
5 OSS does not -- strike that.

6 In a single C --

7 A. Would you like me to help you out?

8 Q. Yes.

9 A. I believe that whether a D & N order is issued
10 or whether a single C order is issued, the same
11 technician's role and do the same activities to
12 disconnect the telephone service.

13 Q. What about in relation to BellSouth's OSS?

14 A. I would think BellSouth's OSS would have to
15 give them the same triggers and notifications in either
16 case.

17 Q. Okay. So you would agree that in a single C
18 change and in a disconnect order, there has to be some
19 notification to actually disconnect the service on the
20 BellSouth side?

21 MR. CRUZ-BUSTILLO: Objection.

22 THE WITNESS: At least -- you know, at least
23 in regard to the billing. Obviously, you know, when
24 it's a switch as is, all you're doing is making a
25 billing change. You're not touching anything else, but

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

47

1 I generally do agree with what you're saying.

2 BY MR. MEZA:

3 Q. When I read your testimony relating to single
4 C, I was under the impression that you are saying that
5 because no D orders are generated, that means BellSouth
6 must be communicating with the wholesale side to
7 identify the single C orders, because Sunrise is
8 triggered by disconnect orders. Is that accurate or is
9 that not accurate?

10 MR. CRUZ-BUSTILLO: Objection.

11 THE WITNESS: It's a little fuzzy. I mean, my
12 real concern gets back to the exhibits I presented on
13 the training on competitive landscape that was authored
14 by Mr. Anderson, and the video associated with that,
15 where I have a huge problem with what's in that document
16 as saying that the disconnect order is solely
17 BellSouth's possession and you're allowed to key
18 marketing activities off of it.

19 I don't think the FCC order allows you that
20 flexibility, and I wanted to make the point that the
21 Public Service Commission should consider whether or not
22 in a D & N world there's something valid to what he says
23 that it positively couldn't be true in a single C
24 world.

25 BY MR. MEZA:

1 Q. Okay. Well, let me cut to the chase.

2 A. I don't think it's -- I don't think it's a
3 valid use of the D order in either case.

4 Q. Okay. Is it your position that, regardless of
5 how an order is submitted, whether it's a D order or a
6 single C, the fact that BellSouth uses or does not use
7 that order, that ALEC LSR to initiate certain activities
8 in Sunrise, that's violative of CPNI? Whether it's a D
9 order or a C order, or a single C, doesn't concern you?

10 MR. CRUZ-BUSTILLO: Objection to form, only
11 because you mentioned about CPNI, because we are doing
12 carrier to carrier.

13 MR. MEZA: Okay. That's fair enough.

14 THE WITNESS: No, I think -- you know, I think
15 your technical groups and your billing groups have to
16 have that notification. You know, to a certain extent,
17 you may make a case that virtually all of BellSouth
18 Telecommunications is entitled to that information,
19 except the marketing department, except Operation
20 Sunrise, you know, they shouldn't get it under any
21 circumstance.

22 BY MR. MEZA:

23 Q. All right. And just to make sure I understand,
24 it doesn't matter in your opinion whether it's a single
25 C or a D? They both should not be used in marketing?

1 A. That's correct.

2 Q. All right. Look on Page 21, Lines 32 to 34.
Page 42

3 MR. CRUZ-BUSTILLO: What numbers?

4 MR. MEZA: Page 21, Lines 32 to 34.

5 BY MR. MEZA:

6 Q. What do you mean by the statement, "BellSouth
7 doesn't market to the list of customers they post on
8 PMAP, they market to the list of customers that Supra
9 does not post or sell to anyone"?

10 A. Well, with hindsight, I would have worded that
11 a little differently. It isn't real clear.

12 Take the whole paragraph in context. I talk
13 about how BellSouth posts lines on PMAP. Then I make
14 the statement Supra doesn't make its disconnect list
15 available to any party. So there's no commercially
16 available disconnect list of Supra's customers that
17 isn't solely derived from the LSRs we submit.

18 In other words, we don't compile a list of LSRs
19 that we submitted disconnect customers and make that
20 information available to anyone, including ourselves.
21 Therefore, that list doesn't show up on a PMAP site,
22 which is what I'm referring to in the sentence on Line
23 32 through 33, there's no Supra PMAP site, and I didn't
24 make that very clear. Therefore, BellSouth is not
25 referring to a Supra PMAP site. You're marketing to the

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

50

1 list of customers we don't make available in list form
2 to anyone.

3 Q. Well --

4 A. You have a PMAP site. We don't.

5 Q. Right, but that PMAP site provides you with
6 your data, not BellSouth's data.

7 A. Right, but that's not what I'm stating here.

8 Q. Okay. What are you trying to state? Because
9 I'm still confused.

10 A. I'm saying, other than the LSRs we submit to
11 BellSouth, there is no Supra-generated disconnect list.
12 That's what I'm saying in this paragraph.

13 Q. Okay. Is it your contention that BellSouth
14 should be marketing to customers that Supra lost?

15 A. No, but you're marketing to customers we gain.

16 Q. Yeah, I'm just confused by the whole sentence,
17 and I think you've clarified it.

18 A. We don't make these lists available to anyone.
19 My point is, we don't make these lists available to
20 anyone. We simply submit LSRs to BellSouth.

21 Q. Right.

22 A. Any marketing activity is based on customers
23 Supra wins or loses.

24 Q. And my question to you is --

25 A. Is either coincidence or it's derived from the

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

0

51

1 fact that we submitted an LSR, because there's no other
2 list anywhere.

3 Q. And my question to you is, what is the
4 significance to this case of the fact that Supra doesn't
5 create its own PMAP notification site identifying the
6 customers that are lost?

7 A. I would say the significance is that anyone
8 that's marketing to customers that we gain is in
9 violation of Paragraph 28 of FCC Order 03-42.

10 Q. And why is that?

11 A. Because you're using information derived solely
12 from a CLEC LSR as a result of being the executing
13 carrier of that order to do marketing, which you would
14 not otherwise have.

15 Q. So what you're saying is that if Supra has
16 generated and made it available, this list of customers
17 that they lost --

18 MR. CRUZ-BUSTILLO: I think he said gained. I
19 think that's where the -- I didn't want to jump in.
20 He's saying gained.

21 THE WITNESS: Gained or lost. I mean, in
22 either case, we don't create the list; therefore, it's
23 not available. Were it to be available, then you would
24 have an argument.

25 BY MR. MEZA:

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

0

52

1 Q. Could I see that for a second, your direct
2 testimony?

3 A. I take that back. You might have an argument.

4 Q. Okay. Getting back to your direct testimony,
5 but I'm focusing on Page 22, Line 6 through 12, if you
6 could read that, please?

7 A. All right.

8 Q. Okay. Do you believe that BellSouth uses a FOC
9 in sunrise?

10 A. It's my understanding of the way Sunrise works
11 is that when a FOC is generated in SOCS, that order
12 moves into the Harmonized table. Based on the testimony
13 I heard from Mr. Wolfe last week, it stays there until
14 it gets a completion notice, and then it goes into the

15 temporary table where it's sorted and filtered before
16 moving to the permanent table.

17 Q. So the answer to my question is, no, FOC isn't
18 used?

19 A. Well, certainly the FOC is the trigger for the
20 entire activity.

21 Q. Well, let's see if we agree what a FOC is. A
22 FOC is Bellsouth telling supra this is the date that you
23 can expect the conversion order to be completed?

24 A. Right, and it's also what triggers the record
25 to move out of the SOCS system through the Harmonized

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

1

53

1 feed into the Harmonized table, where it sits until it
2 receives a completion notice.

3 You don't wait on the completion notice to move
4 it. You move it on the FOC. So as a result, you're
5 using the fact that we successfully submitted an order
6 to extract that order from SOCS.

7 Q. Well, I thought we previously established that
8 it's not the successful completion -- or submission of
9 an order, but the completion of the order that Sunrise
10 focuses on.

11 MR. CRUZ-BUSTILLO: Objection to form.

12 THE WITNESS: Well, no, that's not what I
13 said. It's quite clear that a firm order commitment
14 moves the record from SOCS into the Harmonized table.
15 Now, I sat in a deposition last week, and the definition
16 of Sunrise started at the Harmonized table. So I would
17 have to disagree with you based on Mr. Wolfe's
18 definition of where Sunrise starts.

19 Now, without being obtuse about the whole
20 thing, obviously, it sits in the Harmonized table and
21 doesn't -- it's inert. It doesn't do anything in the
22 Harmonized table. It actually has to move to the
23 temporary table and the permanent table before a
24 marketing lead can even be considered, and that step
25 takes the completion notice.

 OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

54

1 BY MR. MEZA:

2 Q. Okay.

3 A. Okay? But the fact -- the selection criteria
4 out of all of the millions and billions of records that
5 flow through SOCS, it's the firm order commitment that
6 selects that record for potentially moving farther into
7 the Sunrise system.

8 Q. Are you making, as part of this proceeding, an
9 issue relating to the difference between D & N orders
10 and the single C submission of orders?

11 A. Again, we had this discussion a few minutes
12 ago. You're talking about this section of my testimony
13 on Page 22, between Lines 15 and 20, where I essentially
14 rebut Mr. Anderson's competitive landscape training
15 document which states that we have the right to access a
16 D order because it belongs to us, and I'm now making the
17 contention that in a simple C world, there is no such
18 separate disconnect order. There's a common order. So
19 the whole logic by which he rationalizes that they're
20 free -- that you are free to use the D order while not
21 being free to touch the N order now kind of evaporates
22 because there's one order. There's one in the same

23 document flowing through the system.

24 Q. All right. Can I have that back, please?

25 Exhibit DAN-2, I believe it's referenced as a

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

55

1 notice that BellSouth sent to you with a PIN number?

2 A. Uh-huh.

3 Q. And an 800 number?

4 A. Uh-huh. Again, PIN number being the customer
5 code that was discussed at length last week.

6 Q. That's the PIN number you're referring to?

7 A. Well, you present it as a PIN number on the
8 document, but, in reality, it is the customer code.

9 Q. Do you know what the purpose of this
10 notification was, DAN-2?

11 MR. CRUZ-BUSTILLO: Objection to form.

12 THE WITNESS: I mean, I can tell you what the
13 letter stated.

14 BY MR. MEZA:

15 Q. Yeah, why don't you tell me what the letter
16 stated?

17 A. I couldn't quite divine what the purpose of it
18 would be for BellSouth to send a mailing for a line that
19 was converted from resale to UNE, except that it's not
20 visible in your system that that wasn't an order going
21 from one CLEC to another CLEC, because, indeed, those
22 lines are recorded under two different operating company
23 numbers.

24 Q. Let me ask you a different question. DAN-2
25 deals with a notice sent by BAPCO to you, as set forth

1 in your testimony, asking you if you want directories,
2 correct?

3 A. Noticing me that they noticed there was a
4 change in my service.

5 Q. And asking you if you want directories?

6 A. Uh-huh.

7 Q. Yes?

8 A. Yes.

9 Q. Okay. And if you want directories, it asks you
10 to call this 800 number and use a PIN that it provides?

11 A. Uh-huh.

12 Q. Correct?

13 A. Uh-huh.

14 Q. That's a yes?

15 A. Yes.

16 Q. Okay. Did you call that 800 number?

17 A. I didn't need to. I had neither a change in my
18 service, nor did I have any need for directories.

19 Q. Okay. Do you know if this PIN number that's
20 provided by BAPCO on the notice is your customer code
21 and not just a regular PIN number to access whatever
22 information they need to provide you with the
23 directories if you want them?

24 A. No, it's the customer code. The use of that
25 PIN number is fairly consistent at BellSouth in terms of

1 identifying the true and accurate owner of the telephone
2 line.

3 Q. And whose customer code is that? Is that
4 BellSouth's customer code?

5 A. Well, at that point, it would have to -- you
6 see, I heard some very conflicting testimony from Mr.
7 Wolfe the other day saying a customer code is this and
8 that. We've been through this at length, both in
9 BellSouth training that I have attended and in past
10 proceedings with BellSouth.

11 Every time an order is submitted on the line,
12 that code number changes, and that's the mechanism by
13 which you're able to keep multiple copies of -- multiple
14 historical copies, if you will, of my customer record in
15 CRIS. If I win a customer from you, the very fact that
16 I issue an LSR on that line makes a change to the
17 customer code, and so now there's a telephone number
18 customer code combination from when it was your customer
19 and a telephone number customer code combination from
20 when it's my customer.

21 Then if I come along two days later and add
22 caller ID to the line, the customer code changes again,
23 and thus, the records are preserved across time.

24 Q. Okay. What troubles you about what Mr. Wolfe
25 said? Because he said it was the BellSouth customer

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

58

1 code.

2 A. I'm not sure where that's available to the
3 system. At the point at which that record is extracted
4 from SOCS, the customer code is already changed. It
5 changes as a result of a successful firm order
6 confirmation process.

7 Q. But how do you know that?

8 A. This has been my training. I was trained in
9 that, and I've heard testimony in that regard from Mr.
10 Payton. We had extensive discussions with the UNE
11 trainers that were sent here in June 2001 in this
12 regard.

13 Q. So is it -- well, do you know where in the
14 process BellSouth's OSS places its customer code on the
15 service order generated from a CLEC LSR?

16 A. Say that again.

17 Q. Do you know where in the process, the order
18 flow process, where BellSouth's OSS places the customer
19 code on a service order that's generated from a CLEC
20 LSR?

21 A. Well, I know that customer code is changed as a
22 result of the firm order confirmation in SOCS.

23 Q. All right. That wasn't my question. Do you
24 know where in the process is the customer code placed on
25 a service order?

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

59

1 A. I thought I answered the question.

2 Q. I don't think you did.

3 A. I thought I answered the question that when the
4 firm order confirmation comes, the customer code is
5 placed on the record there. I think what you're
6 concerned about is whether or not I know whether there
7 was a customer code there before the FOC.

8 Q. Correct.

9 A. And I don't know the answer to that.

10 Q. So you don't know, for instance, if in LESOG --

11 well, in LESOG, the LESOG places BellSouth's customer
12 code on the service order, and when I use BellSouth's
13 customer code, that's the customer code BellSouth gave
14 the customer while it was a BellSouth customer?

15 A. I don't know that, nor do I think it's material
16 to the discussion, because the FOC process changes that
17 code and the record is not pulled out of SOCS and
18 Harmonized until after it receives a FOC.

19 Q. You don't know in Sunrise that the customer
20 code that's being pulled down is the customer code
21 that's placed on there by LESOG?

22 A. Well, if it's a customer code that's on the
23 service order, I do know that.

24 Q. Okay.

25 A. Because there's only one, and it's been changed

QUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

0

60

1 as a result of the FOC.

2 Q. So what you're telling me is that, on any Supra
3 service order in a conversion from BellSouth to Supra,
4 the customer code that's on the service order will be
5 Supra's customer code and not BellSouth's while that
6 customer was a BellSouth customer?

7 A. I firmly believe that to be true, and I've just
8 told you why.

9 Q. Okay. Do you have any documents to support
10 your contention that you received this DAN-2 when your
11 account was converted to UNE-P from resale?

12 A. What kind of document? An affidavit from me
13 or --

14 Q. Well, no. Do you have any -- do you have the

15 service order associated with the conversion of your
16 phone number?

17 A. They're easy to obtain. It's in the CSOTS
18 system.

19 Q. I'm asking, do you have it today?

20 A. Do I have it in this room? No, I do not.

21 Q. Do you have any evidence, other than your
22 testimony, to suggest that the date you received this
23 notice was on or about the time that your account was
24 converted?

25 A. I wasn't aware I needed anything to support my

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

61

1 testimony.

2 Q. So the answer would be no, you don't?

3 A. No, I don't.

4 Q. Okay. You also reference that you got a second
5 copy of DAN-2 when, to use your correct words, when your
6 number was placed in a list of lines scheduled to be
7 disconnected for nonpayment.

8 A. That's correct.

9 Q. When was that?

10 A. I can get you an exact date. It was either
11 late July or early August 2002.

12 Q. Okay. And was this Supra disconnecting you for
13 nonpayment?

14 A. Yes.

15 Q. Okay. And did Supra actually proceed with
16 termination?

17 A. No.

18 Q. Okay. And I think you testified on Friday that

19 supra doesn't terminate for nonpayment; they just put a
20 hold on the account?

21 A. I told you we decided to suspend service to
22 collect past-due amounts.

23 Q. But you don't issue a D order?

24 A. Is that exactly what I said, or did I say, you
25 know, our efforts are to make sure that, you know, we

QUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

0

1 try to collect that money rather than do a disconnect?

2 Q. I believe what you testified was that you don't
3 issue disconnect orders for nonpayment.

4 A. I don't really think I testified to that. I
5 said -- I probably testified I wasn't aware of any
6 particular volume of actual disconnects.

7 Q. Okay. Well, if your testimony relating to your
8 account is that supra did not issue a disconnect order
9 to you personally for your account --

10 A. Yes.

11 Q. -- why is it that you believe that something
12 changed in your account that would trigger receiving
13 this notice from BAPCO? I mean, what is it in that
14 scenario --

15 A. The notice stated there was a recent change in
16 my line, and all I did was go into suspend and come back
17 out of suspend.

18 Q. When supra puts an account in suspend, does it
19 issue any type of order to BellSouth?

20 A. Yes.

21 Q. What type of order does it issue?

22 A. A suspend order.

23 Q. And how is a suspend order submitted? Is that
24 a C or a single C?

25 A. I don't have that information. I don't have

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

0

63

1 that information. You have to understand we submit
2 LSRs. We don't submit C, D or N orders.

3 Q. But you can request order type, can't you?

4 A. Not in that regard. I mean, you're going to
5 make a request type, which is, you know, new service,
6 disconnect service, suspend service, restore service,
7 but, I mean, those don't equate to D, C and N, except
8 possibly a pure disconnect would, yeah, probably
9 correspond to a D order.

10 Q. But you have the option of choosing whether you
11 want a single C to be implemented rather than D & N,
12 don't you?

13 A. I wasn't aware that there was any allowance for
14 D & N orders at all anymore, so I wasn't aware that
15 there was any way to select a D & N order. I thought
16 all orders were single Cs.

17 Q. Now, do you know in relation to the
18 notification from BAPCO, that on an LSR, you are
19 required to check off that you want directories sent to
20 your new customer?

21 A. Yes.

22 Q. That is a requirement; you agree with that?

23 A. Yes.

24 Q. Okay. Does supra fill out that form or the
25 check on the LSR?

1 A. If it's a requirement and the order gets
2 processed, then, inherently, we must. I don't know of
3 any way to get the order accepted by BellSouth without
4 some answer being in that box.

5 Q. Okay. DAN-3, I think I have a copy here of
6 that, and I believe your testimony in relation to DAN-3
7 is that a Supra attorney received this letter when he
8 converted from BellSouth to Supra; is that right?

9 A. Uh-huh.

10 Q. What Supra attorney was that?

11 A. That would be Mr. Cruz-Bustillo.

12 Q. And when did he receive this letter?

13 A. I'd have to get my notes on that. It was last
14 year.

15 Q. Okay. What evidence do you have that this
16 letter was sent to Mr. Cruz-Bustillo within a week of
17 converting to Supra from BellSouth?

18 A. I'm sorry, I'm not sure I understand what
19 evidence other than him bringing that letter in and
20 showing it to me right after we -- I was involved in
21 getting this line converted, by the way -- bringing the
22 letter in and saying, "Look what I got in the mail," is
23 my recollection when we submitted the order and when I
24 saw that letter.

25 Q. Yeah, but you don't know how many days

QUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

1 transpired from when the order completed until he
2 received the letter, do you?

3 A. I'm not sure.

4 Q. You're not sure if you know, or you're not --
5 no, you don't know how many days transpired?

6 A. I mean, are we looking for a threshold, did you
7 violate the ten-day rule or something?

8 Q. Well, I'm just asking if you know.

9 A. I'm not making that claim.

10 Q. Okay.

11 A. I'm just saying this was paired with his order.
12 This was coincident with his order, and I'm not saying
13 that you improperly mailed it early.

14 Q. So when you say within a week of the attorney
15 converting, that's a not an indirect reference to
16 violation of the ten-day rule?

17 A. No, that wasn't my purpose at all. I'm just
18 looking at the triggering event.

19 Q. Okay. Thank you for that clarification.

20 DAN-4, correct me if I'm wrong, is a letter
21 that you said was sent to a Supra customer that did not
22 have any activity on the line for 619 days; is that
23 correct?

24 A. Yes, sir.

25 Q. Who is the customer at issue?

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

0

66

1 A. I believe the customer's name was --

2 MR. CRUZ-BUSTILLO: wait. Before you say his
3 name, let this portion of the deposition be
4 confidential, then say his name, and we are going to --

5 THE WITNESS: I don't think I improper -- I
6 don't think I properly redacted the letter, and I think
7 the name is still there.

8 MR. CRUZ-BUSTILLO: That's true.
9 THE WITNESS: You find the letter?
10 BY MR. MEZA:
11 Q. Okay.
12 A. I did promise to put it in the redacted form,
13 and I think I failed to do that.
14 Do you have the exhibit?
15 Q. No, I don't have it with me.
16 MR. CRUZ-BUSTILLO: Do you want him to say the
17 name or just point the name to you, so that we don't
18 even say the name?
19 MR. MEZA: Well, if it's on the exhibit, I
20 don't need you to answer the question.
21 MR. CRUZ-BUSTILLO: Yes.
22 THE WITNESS: It's on the exhibit. It's the
23 name of the wife of an engineer that worked for me.
24 BY MR. MEZA:
25 Q. Okay. Is that person still a Supra customer
OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

1 today?
2 A. Yes.
3 Q. What evidence do you have that this particular
4 line has not had a single change on it and has not
5 flowed through SOCS for 619 days?
6 A. Well, that's also attached to the letter. In
7 that case, I attached records from the Bellsouth's CSOTS
8 system to document that particular --
9 Q. Line?
10 A. Well, the numbered -- one of the things CSOTS
11 does is document the number of days since the last

12 change.

13 Q. Okay. And then I believe you have a statement
14 on Page 26, Lines 12 to 13, that, "The only way for
15 BellSouth to know which lines are still in service is to
16 broach the retail/wholesale barrier and freely exchange
17 information."

18 What is the basis for that statement in
19 relation to DAN-4?

20 A. Where's the lines?

21 Q. Lines 12 and 13.

22 A. Well, the letter starts out with, "we're always
23 disappointed to lose a valued customer like you."

24 After over two years, there's no real way for
25 BellSouth to know that that telephone number is still

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

68

1 assigned to that person.

2 Q. So you're basing it on your belief that that
3 telephone number could or could not be assigned to a
4 different person? That's why you think there's a broach
5 to the retail --

6 A. I'm saying, after two years of that line not
7 being in BellSouth's service, you're either taking large
8 chances in mailing out vast volumes of mail to people
9 that are no longer there --

10 Q. That's a possibility, correct?

11 A. -- or you're looking past the retail/wholesale
12 barrier.

13 Q. Do you have any evidence that it's not the
14 former or the latter? That's a bad question.

15 Do you have any evidence that it's not -- this
Page 59

16 letter was not sent as a result of what Mr. Ruscilli
17 testified that we contact customers more than two years
18 ago that left us?

19 A. Uh-huh.

20 Q. Do you have any evidence to suggest --

21 A. Based on a copy of the CSR that you made at the
22 time, which is prohibited by the FCC order.

23 Q. No, that's a different issue. What you're
24 saying is that, in addition to Sunrise, in generating a
25 lead, what I understand you're saying with this exhibit

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

69

1 is that not only is the initial lead improper, but
2 because BellSouth waited over two years to contact this
3 customer, they have to be doing something other than
4 Sunrise in breaching the wholesale/retail barrier to
5 access this information?

6 A. Mr. Wolfe testified last week to something we
7 have known for a long time, and that is, when we win a
8 customer from you, you make a copy of the customer
9 service record, which you consider to be your property,
10 and then, essentially, deed over the customer service
11 record to supra by changing the ownership through the
12 operating company number change.

13 My point is the FCC says you're not supposed to
14 do that either.

15 Q. I understand what your position is, but in
16 specific relation to DAN-4, I interpreted what you were
17 saying to mean that this is another example, independent
18 of Operation Sunrise, where BellSouth is breaching the
19 wholesale/retail barrier. Is that not accurate?

20 A. Well, I don't know if it's independent of
21 Sunrise or not, but with that exception, yeah, I agree.

22 Q. You agree what?

23 A. That this is another example.

24 Q. And my question to you is, what evidence do you
25 have of that contention other than the fact there was no

QUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

70

1 activity on the line?

2 A. No activity on the line and that you mailed to
3 the customer using their correct name and address.

4 Q. Do you have any evidence to suggest that the
5 customer's name and address changed over the 619 days?

6 A. I wish it had, but, in fact, I'm pretty well
7 certain that it didn't.

8 Q. Thank you.

9 All right. Let's go over some of your
10 requested remedies. Page 31, Line 18, you state in
11 response to the question of whether any CLEC should have
12 access to the Sunrise database or receive feed of
13 disconnect data used for win-back, your answer to that
14 question was yes.

15 And my question to you is, what would a CLEC do
16 with the list of customers that left BellSouth and went
17 to another CLEC?

18 A. In a world of parity, where everybody gets to
19 see the same information, that could be used for
20 marketing.

21 Q. You're going to market a customer who just left
22 BellSouth and went to a CLEC?

23 A. Sure. I think we provide a better value than
Page 61

24 most any other CLEC in the market today. We're not just
25 necessarily as well known as, say, perhaps MCI and AT&T,

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

71

1 and yet, that lead would indicate someone who had a
2 dissatisfaction with BellSouth's services and was
3 looking for alternative services. So that's definitely
4 a possibility.

5 In the alternative, I think we would prefer
6 that the entire feed out of SOCS be shut down
7 altogether.

8 Q. All right. In the world of parity, would you
9 agree with me that if Supra is given access to Sunrise,
10 then BellSouth retail should get access to Supra's
11 disconnects?

12 A. I think you already are making use of that at
13 this time. I think you have that right now.

14 Q. Independent of Sunrise. Let's say we get rid
15 of Sunrise, or you get Sunrise.

16 A. Strictly on a parity argument, yes, that does
17 make sense. That does present issues where we would
18 still fall afoul of the FCC regulations, though.

19 Q. How is that?

20 A. Well, I think you're still impaired -- or not
21 impaired, but prohibited from using that type of
22 information by the FCC rules.

23 Q. Well, is it -- under your interpretation of the
24 FCC rules, doesn't that information become commercially
25 available at that point?

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

1 A. That's an interesting interpretation which
2 would probably serve to make it all right.

3 Q. Why do you need a date on the letter that
4 you're referring to on Page 32, Lines 13 to 15 --

5 A. So that --

6 Q. -- showing when the letter was mail?

7 A. So in the future you don't have to ask me if I
8 have any evidence as to when that letter came in in
9 relation to an order and so that I don't have to scratch
10 my head and wonder what the answer to that question is.

11 Q. Okay. All right. And why is Supra suggesting
12 a 90-day waiting period for initiating win-back
13 efforts?

14 A. To reduce thrashing. I think to provide
15 customers with a little bit of relief from the onslaught
16 of counter-marketing that occurs at the transition. We
17 all hear people complain about the number of marketing
18 attempts they get shortly after they change services,
19 either from a local carrier or a long-distance carrier.
20 And to minimize the potential for mischief.

21 Q. Are you aware that in the key customer docket,
22 FDN also asks for a 90-day waiting period before
23 initiating win-back efforts?

24 MR. CRUZ-BUSTILLO: Objection.

25 THE WITNESS: I'm not sure I am aware of that,

 OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

73

1 no.

2 BY MR. MEZA:

3 Q. Okay.

4 A. I might be.

5 Q. Do you know what the Commission ruled regarding
6 how long BellSouth had to wait before initiating
7 win-back efforts?

8 A. Well, the Commission didn't specifically rule
9 how long they should wait. They ruled that they felt
10 that what was in place at the time was adequate. Of
11 course, they didn't know about Sunrise at the time.

12 Q. And what was in place at the time was a ten-day
13 waiting period; would you agree with that?

14 A. Right, and I don't think, from my reading of
15 the key customer tariff, that they so much addressed the
16 ten-day waiting time as they endorsed BellSouth's rules
17 in effect at the time.

18 Q. And you don't know if they rejected FDN's
19 proposed 90-day waiting time?

20 A. Well, if FDN had put forward a 90-day waiting
21 time, it got rejected because the status quo was
22 maintained.

23 MR. MEZA: Let's take a five-minute break, and
24 then we'll wrap up with your rebuttal testimony.

25 (Thereupon, a recess was taken.)

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

0

74

1 BY MR. MEZA:

2 Q. Mr. Nilson, do you have your rebuttal handy? I
3 believe you do.

4 A. I had it earlier.

5 Q. On Page 5, Lines 1 through 3, you state that,
6 "There is an absolute prohibition against the use of
7 carrier-to-carrier information, such as switch orders,

8 to trigger market retention efforts," and in support,
9 you cite Page 45 of the key customer order.

10 A. Okay.

11 Q. And would you agree with me that on Page 45 of
12 that order, the Commission cites FCC order 99-223, that
13 the FCC is discussing retention marketing efforts?

14 A. All right.

15 Q. Would you agree with that statement?

16 A. All right, but I'd also --

17 Q. Wait. Before you -- I don't mean to interrupt
18 you. "All right" can mean several things. Is that a
19 yes or no?

20 A. I'm sorry. Repeat the question.

21 Q. The question is that your reference to Page 45
22 of the key customer order, and the FCC order cited
23 therein, relates to retention marketing efforts?

24 A. Yes. However, as we saw when you showed me the
25 earlier paragraph on 223, the FCC considers retention

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

75

1 and win-back both to be win-back activities, but then
2 chooses not to call retention activities win-back.

3 Q. Right.

4 A. So within the text of 99-223, the FCC itself
5 goes in convoluted circles as to what's retention and
6 what's win-back and what name is going to be associated
7 with these activities. That was the same order that we
8 read earlier.

9 Q. Correct. But in that order, the FCC divides
10 win-back into regaining a customer or retaining a
11 customer, correct?

12 A. And calls them both win-back.

13 Q. Correct?

14 A. Yes, and then agrees not to call retention
15 win-back anymore, all within the same paragraph.

16 You know, to me -- to me, you know, we can get
17 some clarity out of this if we look at 03-42, and in
18 03-42, which I will concede also talks about retention
19 activities, Paragraph 28 says, "We reiterate our finding
20 in the second reconsideration order that carrier change
21 request information" -- carrier change request
22 information, whether that's local service or LPIC --
23 "transmitted to an executing carrier in order to
24 effectuate a carrier change cannot," repeat, cannot, "be
25 used for any purpose other than to provide the service

QUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

76

1 requested by the submitting carrier, and we will
2 continue to enforce the provisions and will take
3 appropriate action against those carriers found in
4 violation."

5 I mean, it's quite clear there. Change orders
6 can't be used for anything other than to execute the
7 change. It can't be used for marketing.

8 It also says in Paragraph 28, "Executing
9 carriers may not at any time in the carrier marketing
10 process rely on specific information they obtained from
11 submitting carriers due solely to their position as
12 executing carriers."

13 So while the words tend to flip-flop, that
14 paragraph sums it all up very clearly in plain
15 language.

16 Q. All right. Go to Page 11.

17 A. Of my rebuttal testimony?

18 Q. Yes, Lines 21 through 22 onto Page 12, Lines 1
19 through 3. You say, "The FCC does allow incumbents to
20 use carrier-to-carrier information but only after the
21 incumbents' retail division has learned of the
22 conversion from an independent retail source that is
23 available throughout the retail industry and which is
24 also available to competitors at the same time."

25 You see that?

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

77

1 A. Yes, I do.

2 Q. And you cite to key customer order, which also
3 cites the 99-223, which is an FCC order.

4 would you agree with me that 99-223, Paragraph
5 78, which you cite to, relates to retention marketing?

6 A. Before I answer your question, you make a
7 supposition in your question that I don't agree with.

8 Q. Okay.

9 A. And that is that this is backed up by 99-223,
10 and I think it's quite plain that this language comes
11 from FCC Order 03-42, Paragraphs 27 -- 27 and 28.

12 Q. All right. Tell me where in Paragraph 27 and
13 28 there is a requirement that BellSouth learn of the
14 information -- the retail division of BellSouth learn
15 the information from an independent retail source.

16 A. All right. If you take a look at Paragraph 27,
17 which is quoted in the key customer tariff at the bottom
18 of Page 46 --

19 Q. Okay.

20 A. -- we talked about that earlier.

21 Q. Right.

22 A. "We clarify that to the extent that the retail
23 arm of an executing carrier obtains carrier change
24 information through its normal channels in the form
25 available throughout the retail industry and after the

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

78

1 carrier change has been implemented, such as disconnect
2 reports, we do not prohibit the use of that information
3 in executing carriers' win-back efforts."

4 Now, that has to be read in conjunction with
5 Paragraph 28, which follows it, and Paragraph 28 clearly
6 says, "We reiterate our finding in the second order
7 that carrier change request information transmitted to
8 executing carriers in order to effectuate a carrier
9 change cannot be used for any purpose and that executing
10 carriers may not at any time in the carrier marketing
11 process rely on specific information they obtain from
12 submitting carriers."

13 Paragraph 28 excludes the feed from sunrise.

14 Q. Okay.

15 A. Therefore, Paragraph 27 talks about information
16 obtained from means that are not triggered by the CLEC
17 LSR.

18 Q. Where in 27 do you see the phrase "from an
19 independent retail source"?

20 A. In a form throughout the retail industry.

21 Q. So you're equating "in a form throughout the
22 retail industry" to mean "independent retail source"?

23 A. Well --

24 Q. Yes?

25 A. Yes. I mean, your knowledge of the change

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

79

1 comes as a result of the LSR. We don't give you any
2 other notification of that, and that disqualifies you as
3 being the source of that information.

4 Q. But you would agree with me that the phrase
5 "independent retail source" does not appear in 27,
6 Paragraph 27 of FCC Order 03-42, or 28?

7 A. Well, I think it's implicit in Paragraphs 27
8 and 28.

9 Q. You may think that it's implicit. I'm
10 asking --

11 A. I certainly do, and I would like to tell you
12 why.

13 Q. If it's there, that was my question, and I'll
14 ask -- you can explain all you want, but it was a simple
15 yes or no question.

16 Does the phrase--

17 A. All right. What was your question?

18 Q. Does the phrase "independent retail source"
19 appear in Paragraph 27 or 28 of FCC Order 03-42?

20 A. No, those words do not appear in that
21 arrangement, in that paragraph. However, I do truly
22 believe that that meaning is implicit in Paragraphs 27
23 and 28 when you read the two paragraphs together and
24 realize that a form available throughout the retail
25 industry cannot possibly be triggered through your

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

80

1 knowledge of that change acquired as a result of me
2 submitting an LSR to BellSouth. That makes the
3 information have to come from an independent source. It
4 can't -- it doesn't come from Supra. It can't come from
5 BellSouth.

6 Q. Would you agree with me that --

7 MR. MEZA: Please.

8 MR. CRUZ-BUSTILLO: Go ahead.

9 BY MR. MEZA:

10 Q. -- the phrase "independent retail means"
11 appears in 99-223 in the discussion under retention
12 marketing, and specifically Paragraph 79?

13 MR. CRUZ-BUSTILLO: He wants you to look at
14 Paragraph 79 here.

15 THE WITNESS: I thought it was in a different
16 paragraph. I was looking at the key customer tariff.

17 MR. CRUZ-BUSTILLO: Let me see this for a
18 second. Okay. Here you go.

19 You want him to look at the
20 highlighted portions?

21 MR. MEZA: No, he can look at the whole thing.

22 BY MR. MEZA:

23 Q. I just wanted to confirm -- and again, if my
24 question gets lost in the translation or the reading, I
25 want you to confirm that the phrase "independent retail

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

81

1 means" appears in FCC Order 99-223 under the section
2 discussing retention marketing.

3 A. Yes, I see that. I see that statement in that
4 paragraph, and for the record, I don't see that that's

5 inconsistent with 03-42, Paragraphs 27 and 28, either.

6 Q. Okay. In your opinion, what does, quote,
7 unquote, "in a form available throughout the retail
8 industry" mean as used in FCC 03-42?

9 A. It means there's no restrictions on who can
10 obtain that information. It's not -- it's not available
11 to some and not available to others.

12 Q. Why doesn't it mean in a form that's available
13 throughout the retail industry, meaning a disconnect
14 report that each carrier gets outlining and setting
15 forth number and the specific customers that they lost?
16 Why isn't that in a form available throughout the retail
17 industry?

18 A. I'm not even clear what you're talking about
19 in terms of an actual document that meets those
20 requirements.

21 Q. The PMAP line loss report, why isn't that in a
22 form available throughout the retail industry?

23 A. Well, one, it's not an independent -- it's not
24 an independent notice, it comes from BellSouth, and it's
25 not available throughout the retail industry. It's

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

82

1 available only to Supra Telecom. PMAP is locked down
2 with a password. No one but Supra Telecom can read that
3 report.

4 Q. Okay. What is your understanding of the word
5 or the phrase "retail industry," as used by the FCC?

6 A. Retail industry as used by the FCC would
7 indicate that something is available to any who wish to
8 purchase it.

9 Q. Where is there a requirement in 03-42 that it
10 come from an independent source?

11 I'll agree with you that it says "in a form
12 available throughout the retail industry," but I don't
13 see the word "independent."

14 A. Independent -- obviously, we just talked about
15 independent being referenced in Paragraph 79 of 99-223.

16 Q. Okay.

17 A. It's cited in the key customer tariff.

18 Q. Right. And you would agree with me that when
19 the Commission cites it, it's citing it in reference to
20 retention activities. Look on Page 45 of the key
21 customer order.

22 A. Well, I don't know if this order has a standard
23 disclaimer of heading of no force or effect, but it is
24 under a heading called Retention of Customers.

25 Q. Okay.

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

83

1 A. Retention being that subset of win-back where
2 the customer has not yet left BellSouth, as we discussed
3 earlier this morning.

4 Now, your question was, does the word --

5 Q. Independent.

6 A. You want me to help or should I just let you
7 reask the question?

8 -- "independent" appear in 03-42?

9 Q. Right.

10 A. I don't have all of 03-42 here and --

11 Q. Here it is.

12 A. -- if I did, without being able to search it

13 electronically, we would kind of waste each other's
14 time.

15 Q. But you would agree with me in Paragraph 27 and
16 28, and I think we've already established this, that
17 there is no reference to that the information be
18 independent?

19 A. I disagree. I would agree with you only to the
20 extent that the word "independent" is not explicitly
21 carved into the paragraph. However, reading the two
22 paragraphs together, in a form available throughout the
23 retail industry, and excluding your ability to count
24 information that you received as part of a wholesale
25 order, and that being the only information that

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

84

1 BellSouth receives in this regard, would disqualify you
2 from the publisher of that information.

3 So I think while the word "independent" was not
4 carved into the paragraph, implicitly it's there. It's
5 there by the requirement of the two paragraphs, and it
6 would be consistent with previous orders for it to be
7 interpreted that way.

8 (Discussion off the record.)

9 THE WITNESS: As I was saying, I do believe
10 the word "independent" is there in the meaning of the
11 paragraph, if not in actual text.

12 BY MR. MEZA:

13 Q. Okay. On Page 14 of your rebuttal testimony,
14 you state that, "Carrier change information can only be
15 considered to be derived from independent retail means
16 if competitors also have access to the same or

17 substantially the same information for use in their own
18 marketing efforts," and in support, you cite to, I
19 believe, FCC Order 03-42 and FCC Order 99-223; is that
20 correct?

21 A. In support of what?

22 Q. That statement on Lines 7 through 9.

23 A. Well, I'm not exactly sure what question you're
24 asking. Obviously, I've already told you that
25 "available to competitors" is of the definition of

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

85

1 "available throughout the retail industry."

2 Q. Okay.

3 A. If there's something that's only available to
4 Supra Telecom, that is not available throughout the
5 retail industry.

6 Q. Okay. Look on Page 16, Lines 5 through 11.
7 You state, and I'm paraphrasing, in the key customer
8 order, this Commission defined independent retail means
9 to be the following, and I would like for you to find
10 for me in the key customer order where the Commission
11 defined independent retail means to be what you said
12 they defined it to be.

13 A. Can you repeat the question again?

14 Q. Where did the Florida Public Service Commission
15 in the key customer order define independent retail
16 means as set forth in your testimony on Line 6 through
17 11 on Page 16?

18 A. Okay. There's a couple of places, I think,
19 needs pointing out. First of all, on Page 44, where
20 they impose an explicit restriction for using the final
Page 74

21 bill for marketing purposes, clearly indicating that
22 your knowledge that you are to stop billing is not an
23 appropriate trigger to do marketing.

24 Q. But they don't define independent retail
25 means?

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

86

1 A. I said Page 44. That's at the bottom of
2 Paragraph 1. Page 45 --

3 Q. I asked you a question before you get started.
4 The Commission didn't define independent retail means on
5 Page 44, did it? I mean, that's your interpretation of
6 what they said on Page 44, but there is no express
7 definition of independent retail means?

8 MR. CRUZ-BUSTILLO: Objection, asked and
9 answered.

10 But go ahead and answer the question.

11 BY MR. MEZA:

12 Q. I'm asking you.

13 A. Is that the question you asked? Because your
14 question sounded like something completely different.

15 Q. Is there an express definition of independent
16 retail means in the FPSC key customer order?

17 MR. CRUZ-BUSTILLO: Let him read the whole
18 thing.

19 Read this paragraph on both sides to answer the
20 question.

21 THE WITNESS: Okay. All right.

22 Actually, they do.

23 BY MR. MEZA:

24 Q. Okay.

25 A. First of all, on Page 45 --

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

87

1 Q. Okay.

2 A. -- where they cite to FCC Order 99-223, at
3 Paragraph 76, "We conclude that Section 222 does not
4 allow carriers to use CPNI to retain soon-to-be former
5 customers, for the carrier gained notice of a customer's
6 imminent cancellation of service through the provision
7 of carrier-to-carrier services."

8 Then they go on to say, "We conclude the
9 competition is harmed when any," emphasized, "any
10 carrier uses carrier-to-carrier information such as
11 switch or PIC orders to trigger retention marketing
12 campaigns, and consequently, prohibit such actions
13 accordingly."

14 Now, taking it over to the following page, on
15 Page 46, the FPSC says, "The FCC made it clear there's
16 no prohibition against an ILEC initiating retention
17 marketing as long as the information regarding a
18 customer switch is obtained through independent retail
19 means."

20 They refer to the FCC's definition of that,
21 which says -- and this definition comes in several
22 pieces, actually. "We agree with SPC and Ameritac that
23 Section 222-B is not violated if the carrier has
24 independently learned from its retail operations that a
25 customer is switching to another carrier."

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

88

1 So that's the example we talked about earlier,
2 where, in a de minimis amount of cases, the customer
3 actually calls BellSouth to disconnect their service
4 before they go to initiate service with a new customer,
5 and based on a number of change -- or switch orders that
6 you received from us, you would have to conclude that
7 that's an infinitesimally small amount.

8 And then they go on to say that, "Under those
9 circumstances, you can use that information to persuade
10 the customer to stay."

11 Q. I guess, Mr. Nilson, I don't want to
12 short-circuit your answer, but I don't need for you to
13 read for me all the quotes referenced in the key
14 customer order from the FCC order. Other than --

15 A. well, you asked if the definition was contained
16 herein, and I'm trying to show you where it is.

17 Q. But what you specifically say in your
18 testimony -- and I don't want to squabble with you
19 because I think that maybe we can reach an agreement on
20 what your testimony means.

21 Is it your opinion that independent retail
22 means was defined by the Commission by incorporating the
23 specific and quoting the specific provisions from FCC
24 order 99-223? Because when I read that order, I don't
25 see where they say, "we define independent retail means

 OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

0

89

1 to be X."

2 MR. CRUZ-BUSTILLO: Objection. when you say
3 "we" --

4 MR. MEZA: The Florida Public Service

5 Commission.

6 THE WITNESS: No, they say the FCC made it
7 clear, and then I'm talking about Paragraph 78 of
8 99-223, where they talk about information that's
9 received from your retail division, or at the bottom of
10 Paragraph 78, information about a customer switch were
11 to come through independent retail means, then the
12 carrier would -- so they are talking about information
13 that you receive in your call center or something
14 independent of that.

15 BY MR. MEZA:

16 Q. Okay. And that paragraph, Paragraph 78, deals
17 with retention marketing, correct?

18 A. That's correct, but the FPSC is using it in the
19 key customer docket in the win-back context.

20 Q. But the preceding paragraph before Paragraph
21 78, the FPSC says, "The FCC made it clear that there is
22 no prohibition against an ILEC initiating retention
23 marketing," and you're saying that that -- when they
24 said retention marketing, they're really referring to
25 win-back marketing or regaining marketing?

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

90

1 A. Well, again, retention marketing is a subset of
2 win-back marketing, as we saw earlier in 99-223.

3 MR. CRUZ-BUSTILLO: And you already went over
4 this area before.

5 MR. MEZA: Yeah, I understand, but he's --

6 MR. CRUZ-BUSTILLO: It dovetails with
7 Paragraphs 27 and 28, which place that prohibition on
8 the win-back reacquisition.

9 MR. MEZA: Please, I don't need for you to
10 bolster your witness' testimony.

11 MR. CRUZ-BUSTILLO: No, no, he already went
12 over that. I'm saying --

13 MR. MEZA: If you have an objection, asked and
14 answered, then that's appropriate, but for you to try to
15 explain --

16 MR. CRUZ-BUSTILLO: Okay. Two objections:
17 Asked and answered, and mischaracterization of his
18 earlier testimony. I won't say anything further.

19 BY MR. MEZA:

20 Q. Okay. Mr. Nilson, on Page 17, Lines 12 through
21 15 -- let me strike that question.

22 Did you write this portion of your rebuttal
23 testimony?

24 A. Yes. I think it was added to by others, but --

25 Q. By who?

QUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

91

1 A. I'm not quite sure. I mean --

2 Q. Did your lawyers help you write this?

3 A. It was reviewed by -- it was reviewed by a
4 number of people, including some attorneys, and it came
5 back to me with some changes, which --

6 Q. Okay. Lines 12 through 15, starting with the
7 sentence, "This word is a conjunctive term requiring
8 those parties obligated," did you write that sentence?

9 A. I wrote most of it, yes. I think it was
10 changed -- I think the wording was changed a little bit,
11 but I agree with the wording as it stands. I represent
12 that to be my opinion.

13 Q. What is your source of knowledge as to what the
14 FCC meant by using the word "and"?

15 A. They meant that both the phrase on the
16 left-hand side and the phrase on the right-hand side
17 both simultaneously had to be met.

18 Q. Do you have any specialized training in
19 interpreting --

20 A. The English language?

21 Q. -- FCC rules?

22 A. Well, I think that -- I think that's an
23 interpretation of the English language, and I've been
24 studying that since first grade.

25 Q. What's the word "import" mean?

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

92

1 A. The word import means -- can you point me to
2 where I used it, so I can get it in context? It has to
3 do with the weight of what is being referred to, or the
4 relative importance of what is being referred to.

5 Q. Page 18, Lines 1 through 3, "The plain import
6 of the language is that the FCC is setting a benchmark,"
7 et cetera.

8 Did you write that sentence?

9 A. Yes.

10 Q. Okay. And do you stand by your definition of
11 "import" after reading that sentence?

12 A. Yes.

13 Q. Okay. Go to Page 19, Lines 6 through 7, "The
14 FCC purposely uses parentheticals to set off the phrase
15 'such as in disconnect reports.' Parentheticals are
16 used to include an illustration for the general

17 principle outside of a parenthetical."

18 How do you know the reason why the FCC used
19 parentheticals in FCC Order 03-42?

20 A. I think this is more a discussion of English
21 language constructs than the thought process behind the
22 FCC staffer who wrote it.

23 Q. Make sure I'm clear. You're using -- you're
24 interpreting the FCC's order how in relation to Page 19,
25 Lines 5 through 9?

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

0

93

1 A. That the words "such as disconnect reports" is
2 used as an illustration of the concept.

3 Q. And what are you using for that supposition?

4 A. My understanding of the English language, the
5 same way as I understand what a comma and semicolon are
6 used for.

7 Q. Look on Lines 11 through 16. You say that, "To
8 the extent that some competitor brings an enforcement
9 action claiming that the incumbent initiated market
10 retention efforts prior to the completion of the
11 conversion, the incumbent in defense can proffer an
12 internal report, however characterized," open paren,
13 "i.e., disconnect report," close paren, "identifying all
14 of the carrier switches and the dates upon which those
15 switches were completed."

16 You see that?

17 A. Uh-huh.

18 Q. Are you saying that BellSouth can use
19 disconnect reports to defend against the claim that we
20 violated CPNI laws, but can't use the same information

21 to market?

22 MR. CRUZ-BUSTILLO: Objection, compound,
23 meaning there's two questions in there.

24 MR. MEZA: I understand what compound means.
25 Thank you, Counselor.

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-3875

94

1 MR. CRUZ-BUSTILLO: Well, for my witness.

2 MR. MEZA: Yes.

3 BY MR. MEZA:

4 Q. And to appease your counselor's objection, I'll
5 separate it.

6 Are you saying that BellSouth can use
7 disconnect reports to defend against the claim that
8 BellSouth violated CPNI laws?

9 A. Yes.

10 Q. But BellSouth cannot use those same reports for
11 marketing purposes?

12 A. That's correct.

13 Q. And why is that?

14 A. Because you're prohibited from using those
15 reports which are generated as a result of a CLEC change
16 order for marketing purposes. If you don't agree that
17 99-223 covers that, then, clearly, you have to agree
18 that 03-42, Paragraph 28, clearly says that.

19 Q. Okay. On Page 20, Lines 8 through 10, you
20 quote again the key customer order which quotes the
21 03-42 order from the FCC, stating, "Executing carriers
22 may not at any time in the carrier marketing process
23 rely on specific information they obtained from
24 submitting carriers due solely to their position as

25 executing carrier."

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

95

1 Do you see that?

2 A. Uh-huh.

3 Q. Is that a yes?

4 A. Yes, I do.

5 Q. Okay. What is your understanding of what the
6 FCC meant with the phrase "specific information"?

7 A. Anything contained within a CLEC LSR, including
8 the implicit knowledge that the customer left BellSouth
9 to go to the CLEC. The existence of the LSR itself is
10 specific knowledge.

11 Q. So let me understand what your position is.
12 Supra gets to use the fact that it lost a customer --
13 notice of the fact that it lost a customer for win-back
14 purposes, correct?

15 A. I told you earlier we don't engage in that.

16 Q. I'm not saying whether you do or do not, but
17 you can, and under your theory of the law, Supra is
18 allowed to do that? Or any CLEC, if you're troubled
19 with the Supra.

20 A. I don't see it prohibited in the FCC order.

21 Q. So you can use the information and the
22 knowledge that you actually do receive that you lost a
23 customer to initiate marketing and win-back activities,
24 but BellSouth can't; is that right?

25 A. We don't do that, but I don't see any

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

96

1 prohibition against it.

2 Q. But BellSouth can't?

3 A. BellSouth can't, and Supra doesn't.

4 Q. Page 21 and Page 22, starting with Line 18 on
5 Page 21, what is the basis of your statement on Page 22,
6 Line 4 that, "The only reasonable conclusion that can be
7 drawn from the operative phrase, quote, that
8 information, end quote, is that the FCC was referring to
9 the first of the two choices that I have outlined"?

10 A. When you read Paragraph 27, it's quite clear
11 that the subject of that sentence is carrier change
12 information. The restrictions on carrier change
13 information are something that's acquired through normal
14 channels in a form available throughout the retail
15 industry and after a change has been implemented.

16 It's just outside reality or outside -- it's
17 outside any understanding of English sentence structure
18 to refer that to disconnect reports. The subject of the
19 sentence is carrier change information available through
20 retail means.

21 Q. So what is your understanding of why -- or what
22 is your belief as to why the FCC put in the paren, such
23 as in disconnect reports, close paren?

24 MR. CRUZ-BUSTILLO: Objection, asked and
25 answered.

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

97

1 But go ahead.

2 THE WITNESS: It's an illustration.

3 BY MR. MEZA:

4 Q. And you would not give any significance to that
5 illustration in your interpretation of Paragraph 27?

6 A. Well, it all depends, because throughout this
7 proceeding, BellSouth has characterized disconnect
8 reports as something that's derivative of the CLEC LSR,
9 and if that's going to be your definition of a
10 disconnect report, then it doesn't qualify by Paragraph
11 27 or 28.

12 Now, I don't think your definition of a
13 disconnect report and the FCC's agree. The FCC's
14 definition of a disconnect report is, first of all,
15 carrier change information obtained through normal
16 channels in a form available throughout the retail
17 industry and after the change has been implemented.

18 Q. Okay.

19 A. None of which apply to a D order.

20 Q. Well, in your reference and in your
21 understanding, what would be an appropriate disconnect
22 report?

23 MR. CRUZ-BUSTILLO: Objection, calls for
24 speculation.

25 THE WITNESS: You know, I -- I'm not aware of

0 OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

98

1 anything in the industry today that would qualify under
2 that.

3 BY MR. MEZA:

4 Q. Okay.

5 A. I mean, there might be something that I'm not
6 unaware of, but I've searched for that answer as well.

7 Q. Look on Page 24.

8 A. Let me amend that last answer. In that
9 limited -- in that limited avenue where the customer

10 calls you and notifies you directly, that would be one
11 example.

12 Q. Okay.

13 A. That's probably the only one that I've actually
14 been able to identify.

15 Q. What you testified is in the vast minority?

16 A. It is in the vast minority.

17 Q. Okay. If you'd go to Page 24 of your rebuttal,
18 Lines 5 through 8, you're citing a rule of statutory
19 construction that, "Courts should disfavor
20 interpretations of statutes and presumably Commission
21 orders that render the language superfluous and
22 meaningless."

23 Is that your -- excuse me. Did you write that
24 testimony, that sentence?

25 A. I didn't write that sentence. I wrote a much

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

99

1 cruder sentence.

2 Q. Okay. Where did you obtain your knowledge of
3 statutory construction?

4 A. Actually, it was a concept that was heavily
5 argued in Arbitrations I and II. When I was in the
6 courtroom, I listened to them, and when I put this
7 together, I made a reference to that that was then
8 cleaned up.

9 Q. All right. Focus on FCC 03-42.

10 A. All right.

11 Q. Paragraph 27, last sentence, "Under these
12 circumstances, the potential for anticompetitive
13 behavior by an executing carrier is curtailed because

14 competitors have access to equivalent information for
15 use in their own marketing and win-back operations."

16 Do you see that?

17 A. Uh-huh.

18 Q. I want to focus on the phrase "equivalent
19 information." Is it your opinion that equivalent
20 information means identical information?

21 A. Well, identical information is certainly
22 equivalent.

23 Q. I agree with that.

24 A. Okay.

25 Q. But is equivalent information identical?

QUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□

100

1 A. It would be my opinion that equivalent
2 information does not necessarily have to meet the test
3 of identical unless it's so stated.

4 Q. Why isn't the PMAP line loss report not
5 equivalent information?

6 A. Well, if you were to take just the relationship
7 between Supra and BellSouth, here you have one carrier,
8 one incumbent. When Supra loses a customer, any
9 customer, BellSouth sees it. On the other hand --

10 Q. Wait, wait. Let me -- I don't want to
11 interrupt you, but the wholesale side of BellSouth sees
12 it when Supra loses a customer. Would you agree with
13 that?

14 A. I would agree with that.

15 Q. Okay. I didn't mean to interrupt you.

16 A. And it's not necessarily true that retail
17 doesn't see it.

18 Q. But you're not -- well --

19 A. Certainly in the case where you win the
20 customer back, retail sees that one.

21 Q. Correct.

22 A. Okay?

23 Q. Okay.

24 A. On the other hand, if you lose a customer to
25 AT&T, Supra doesn't see it. You see, you see every

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

101

1 customer every CLEC loses. The CLEC, on the other hand,
2 sees only the customers they lose.

3 was that the nature of your question?

4 Q. I'm just asking you why is it that the PMAP
5 line loss report isn't equivalent information that they
6 can use in their own marketing and win-back operations
7 as referenced in the last sentence of Paragraph 27?

8 A. It may well be, although -- although the
9 population of orders that an ALEC can see is vastly
10 smaller than the population of orders that BellSouth
11 sees, but the mechanism is similar.

12 Q. So you may agree with me that a PMAP line loss
13 report could constitute equivalent information, as that
14 phrase is used in the last sentence of Paragraph 27?

15 A. Well, not exactly, because that is a sentence
16 describing the characteristics of -- as we go back to
17 the beginning of that paragraph, "Carrier change
18 information acquired through normal channels in a form
19 available throughout the retail industry and after the
20 carrier change has been implemented."

21 PMAP doesn't meet those requirements. I mean,
Page 88

22 I understand your argument, but you have to read the
23 whole paragraph.

24 Q. And it doesn't meet that requirement because
25 it's not in a form available throughout the retail

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

102

1 industry, meaning that not every carrier has access to
2 every other carriers' information? Is that why it
3 doesn't meet the above sentence?

4 A. That, and the argument that you and I have had
5 this morning over the use of the word "independent,"
6 which I believe is implicitly in that paragraph --

7 Q. Fair enough.

8 A. -- due to the statements of Paragraph 28.

9 Q. Okay.

10 A. But it's certainly not available throughout the
11 retail industry. It's only available to Supra.

12 Q. If you can give me one second?

13 Okay. Let me ask you a series of quick
14 questions on your discovery responses that I just
15 received this morning, but I believe was E-mailed to me
16 last night.

17 MR. CRUZ-BUSTILLO: Yes, E-mailed to you
18 yesterday at four o'clock.

19 BY MR. MEZA:

20 Q. And this may be more of an issue for your
21 counsel and I to argue about, but on Page 24, Lines 1
22 through 4 of your direct, you're referring to what a
23 BellSouth retail sales center will tell a customer.
24 You're not including that claim in your complaint
25 relating to Sunrise, are you?

1 A. It's not one of the identified issues. It is a
2 fact. We produced evidence on that numerous times
3 through the various arbitrations.

4 Q. And --

5 A. It really does happen. As a matter of fact, I
6 think if you're not aware of it, that particularly in
7 the area of DSL, you might want to contact Mr. Edenfield
8 who receives routine letters from Mr. Chaiken on this
9 matter.

10 Q. Do you consider this statement to be irrelevant
11 to this proceeding?

12 A. Win-back is win-back. When you create an
13 opportunity for win-back, it harms competition. That
14 said, we're not pursuing any issues related to the
15 specific activity in this docket, to the best of my
16 knowledge. That doesn't mean it's not happening.

17 Q. Well, again, so let me ask my question again
18 because the objection I got for "Identify basis and any
19 documents to support your contention," was, "It's
20 irrelevant."

21 A. Okay. It's irrelevant.

22 Q. So it's irrelevant?

23 A. I would have rather they wrote something
24 different, but --

25 Q. If it's irrelevant, that's fine, but I don't

1 want to have to --

2 A. I didn't think you were going to argue with
3 that one. I'm the one that wishes they said something
4 different -- we said something different.

5 MR. CRUZ-BUSTILLO: You want to take a
6 five-minute break?

7 MR. MEZA: I'm done.

8 MR. CRUZ-BUSTILLO: I mean, take a five-minute
9 break and do redirect? I don't know if I have any
10 redirect.

11 MR. MEZA: That's fine. You want to see if
12 staff has any questions so we can gauge -- Staff, do you
13 have any questions for Mr. Nilson? I think I'm done.

14 MS. DODSON: No, we have no questions.

15 (Thereupon, a recess was taken.)

16 MR. CRUZ-BUSTILLO: Okay. I guess we're back
17 on the record, and we have no redirect. We're going to
18 save it for the hearing.

19 (Thereupon, the deposition was concluded,
20 reading and signing having been waived.)

21
22
23
24
25

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

105

□

1 CERTIFICATE OF OATH

2 STATE OF FLORIDA:

SS.

3 COUNTY OF MIAMI-DADE:

4

5 I, ROBIN GONZALEZ, Registered Professional

6 Reporter and Notary Public for the State of Florida at
 7 Large, do hereby certify that I was authorized to and
 8 did report in shorthand the deposition of DAVID A.
 9 NILSON, and that the pages, numbered from 1 through 104,
 10 inclusive, contain a full, true and complete
 11 transcription of my shorthand report of same.

12 I further certify that said witness was duly
 13 sworn according to law.

14 I further certify that I am not of counsel to
 15 said cause or otherwise interested in the event thereof.

16
 17 WITNESS my hand this 26th day of August, 2003.

18
 19
 20
 21
 22
 23
 24
 25

ROBIN GONZALEZ, RPR
 Commission # CC 905865
 Expires Feb. 7, 2004

OUELLETTE & MAULDIN COURT REPORTERS, INC. (305) 358-8875

□